

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

STATE OF CONNECTICUT
CONNECTICUT SITING COUNCIL

Docket No. 548

Homeland Towers, LLC, and Celco Partnership d/b/a
Verizon Wireless Application for a Certificate of
Environmental Compatibility and Public Need for the
Construction, Maintenance, and Operation of a
Telecommunications Facility and Associated Equipment
Located at 38 and 42A Hidden Brook Drive,
Brookfield, Connecticut.

Continued Zoom Remote Council Meeting
(Teleconference), on Tuesday, May 19, 2026, beginning
at 2 p.m.

H e l d B e f o r e :

JOHN MORISSETTE, THE VICE CHAIR

1 A p p e a r a n c e s :

2 Councilmembers:

3 JOHN MORISSETTE (The Vice Chair)

4

5 BRIAN GOLEMBIEWSKI ,

6 DEEP Designee

7

8 QUAT NGUYEN ,

9 PURA Designee

10

11 CHANCE CARTER

12 KHRISTINE HALL

13 DR. SCOTT WILLIAMS

14 DANIEL P. LYNCH, JR.

15

16 Council Staff:

17 MELANIE BACHMAN, ESQ.,

18 Executive Director and Staff Attorney

19

20 MICHAEL PERRONE ,

21 Siting Analyst

22

23 LISA FONTAINE ,

24 Administrative Support

25

1 A p p e a r a n c e s : (cont'd)

2 For HOMELAND TOWERS, LLC (Applicant):

3 ROBINSON & COLE, LLP

4 280 Trumbull Street

5 Hartford, Connecticut 06103-3597

6 By: KENNETH C. BALDWIN, ESQ.

7 KBaldwin@rc.com

8 860.275.8200

9

10 For LAKE LILLINONAH SHORES CONDO ASSOCIATION NOS. 1 & 2

11 (Intervenors):

12 RICHARD BALDELLI

13

14 For ELECTROMAGNETIC TECHNOLOGIES INDUSTRY, INC.:

15 (Intervenor):

16 JOHN HOWARD

17 STEVE JALIL

18

19 For CEPA Intervener:

20 PASKA NAYDEN

21

22 For CEPA Intervener:

23 ELAINE SIMOES

24

25

(Begin: 2:00 p.m.)

1
2
3 THE VICE CHAIR: Good afternoon, ladies and gentlemen.

4 This continued evidentiary hearing session is
5 called to order this Tuesday, May 19, 2026, at
6 2 p.m. My name is John Morissette, Vice Chair of
7 the Connecticut Siting Council.

8 If you haven't done so already, I ask that
9 everyone please mute your computer audio and/or
10 telephones now. Thank you.

11 A copy of the prepared agenda is available on
12 the Council's website, along with the record of
13 this matter, the Public Hearing Notice,
14 instructions for public access to this public
15 hearing, and the Council's citizen's guide to the
16 Siting Council's procedures.

17 Other members of the Council are Ms. Hall,
18 Mr. Carter, Dr. Williams, Mr. Syme, Mr. Lynch,
19 Mr. Golembiewski, and Mr. Nguyen. Members of the
20 staff are Executive Director Melanie Bachman,
21 Siting Analyst Michael Perrone, and Administrative
22 Support Lisa Fontaine.

23 This evidentiary session is a continuation of
24 the public hearing held on April 9, 2026. It is
25 held pursuant to provisions of Title 16 of the

1 Connecticut General Statutes and of the Uniform
2 Administrative Procedure Act upon an application
3 from Homeland Towers, LLC, and Cellco Partnership,
4 d/b/a Verizon Wireless, for a Certificate of
5 Environmental Compatibility and Public Need for
6 the construction, maintenance, and operations of a
7 telecommunications facility and associated
8 equipment located at 38 and 42A Hidden Brook Drive
9 in Brookfield, Connecticut.

10 A verbatim transcript will be made of this
11 hearing and deposited with the Brookfield Town
12 Clerk's office for the convenience of the public.

13 The Council will take a 10- to 15-minute
14 break at a convenient juncture at around 3:30 p.m.

15 We will now continue with the appearance by
16 the Applicants. In accordance with the Council's
17 April 10, 2026, continued evidentiary hearing memo
18 we will continue with the appearance of the
19 Applicants, Homeland Towers, LLC, and Cellco
20 Partnership, d/b/a Verizon Wireless, to verify the
21 new exhibits marked as Roman numeral 2, items B6
22 and '7 on the hearing program.

23 Attorney Baldwin, good afternoon.

24 ATTORNEY BALDWIN: Good afternoon, Vice Chair

25 Morissette and members of the Council.

1 We have two additional exhibits to offer into
2 the record in this afternoon's hearing. They are
3 labeled, as you stated, in items 2B, 6 and 7.
4 They are our responses to the Lake Lillinonah
5 Shores Condominium Association One and Two
6 interrogatories dated May 7th, and then our
7 responses to Elaine Simoes' interrogatories, also
8 dated May 7th.

9 R A Y V E R G A T I ,
10 M A R T I N L A V I N ,
11 D A V I D W E I N P A H L ,
12 M A R T I N B R O G I E ,
13 S E A N H O S E K ,
14 M A T T H E W A L L E N ,

15 recalled as witnesses, having been previously
16 duly sworn, were examined and testified under
17 oath as follows:

18
19 ATTORNEY BALDWIN: We have an abbreviated verification
20 panel to verify these exhibits, and I would ask
21 them the following questions.

22 Did you prepare or assist in the preparation
23 of the responses to the interrogatories listed in
24 the hearing program under Roman two, section B,
25 items six and seven, Mr. Weinpahl?

1 THE WITNESS (Weinpahl): Yes.

2 ATTORNEY BALDWIN: Mr. Vergati?

3 THE WITNESS (Vergati): Yes.

4 ATTORNEY BALDWIN: Mr. Hosek?

5 THE WITNESS (Hosek): Yes.

6 ATTORNEY BALDWIN: Mr. Lavin?

7 THE WITNESS (Lavin): Yes.

8 ATTORNEY BALDWIN: Mr. Brogie?

9 THE WITNESS (Brogie): Yes.

10 ATTORNEY BALDWIN: Do you have any corrections,
11 modifications, or amendments to offer to any of
12 those exhibits at this time, Mr. Weinpahl?

13 THE WITNESS (Weinpahl): No.

14 ATTORNEY BALDWIN: Mr. Vergati?

15 THE WITNESS (Vergati): No.

16 ATTORNEY BALDWIN: Mr. Hosek?

17 THE WITNESS (Hosek): No.

18 ATTORNEY BALDWIN: Mr. Lavin?

19 THE WITNESS (Lavin): No.

20 ATTORNEY BALDWIN: And Mr. Brogie?

21 THE WITNESS (Brogie): No.

22 ATTORNEY BALDWIN: And is the information contained in
23 those exhibits true and accurate to the best of
24 your knowledge, Mr. Weinpahl?

25 THE WITNESS (Weinpahl): Yes.

1 ATTORNEY BALDWIN: Mr. Vergati?

2 THE WITNESS (Vergati): Yes.

3 ATTORNEY BALDWIN: Mr. Hosek?

4 THE WITNESS (Hosek): Yes.

5 ATTORNEY BALDWIN: Mr. Lavin?

6 THE WITNESS (Lavin): Yes.

7 ATTORNEY BALDWIN: Mr. Brogie?

8 THE WITNESS (Brogie): Yes.

9 ATTORNEY BALDWIN: And do you adopt the information in
10 those responses as your testimony in this
11 proceeding, Mr. Weinpahl?

12 THE WITNESS (Weinpahl): Yes.

13 ATTORNEY BALDWIN: Mr. Vergati?

14 THE WITNESS (Vergati): Yes.

15 ATTORNEY BALDWIN: Mr. Hosek?

16 THE WITNESS (Hosek): Yes.

17 ATTORNEY BALDWIN: Mr. Lavin?

18 THE WITNESS (Lavin): Yes.

19 ATTORNEY BALDWIN: Mr. Brogie?

20 THE WITNESS (Brogie): Yes.

21 ATTORNEY BALDWIN: Vice Chair Morissette, I offer them
22 as full exhibits in this proceeding.

23 THE VICE CHAIR: Thank you, Attorney Baldwin.

24 Does any party or intervener object to the
25 admission of the Applicant's new exhibits?

1 Richard Baldelli on behalf of Interveners
2 Lake Lillintonah Shores Condo Associations One and
3 Two. Mr. Baldelli?

4 RICHARD BALDELLI: No objection.

5 THE VICE CHAIR: Thank you.

6 First Selectman Dunn is not here with us this
7 afternoon.

8 John Howard and Steve Jalil?

9 JOHN HOWARD: No objection.

10 STEVE JALIL: No objection.

11 THE VICE CHAIR: Thank you. Paska Nayden?

12 PASKA NAYDEN: I don't have an objection right now, but
13 I do need some time to review those things again
14 because I can't even find them on the website.

15 So, is it on the actual Docket 548, or is it
16 somewhere else in the minutes and meetings? I
17 went to that section on the website.

18 I'm so sorry. I'm not in this business, you
19 know, over ten years or anything like that, so
20 this is a new process for all of us.

21 THE VICE CHAIR: Well, unfortunately, your time to
22 review is passing -- and it is on the website, I
23 believe. I'll confirm with Attorney Bachman. I
24 believe they are posted. Attorney Bachman?

25 ATTORNEY BACHMAN: That's correct, Vice Chair

1 Morissette. They were posted upon receipt.

2 PASKA NAYDEN: Okay. So, it's right for the actual
3 Docket 548. It's not in a minutes meeting or
4 anything else like that. I got it. Thank you.

5 THE VICE CHAIR: Very good. Thank you.

6 Elaine Simoes?

7 ELAINE SIMOES: That's fine.

8 THE VICE CHAIR: No objection?

9 ELAINE SIMOES: No objection.

10 THE VICE CHAIR: Very good. The exhibits are hereby
11 admitted.

12 We will continue with cross-examination of
13 the Applicant on the new exhibits by the Council,
14 starting with Mr. Perrone, followed by Ms. Hall.

15 Mr. Perrone, good afternoon.

16 MR. PERRONE: Good afternoon, Mr. Vice Chair.

17 Referencing the transcript of the last
18 hearing, pages 19 and 20, what is the status of
19 the site plan and financial proposal for the
20 alternative access that was submitted to the condo
21 association for consideration?

22 THE WITNESS (Vergati): This is Ray Vergati, Homeland
23 Towers.

24 I can represent that Homeland Towers and Lake
25 Lillinonah Condo Association Number One and Condo

1 Association Number Two have arrived and agreed
2 upon a number for the easement. Homeland
3 submitted a proposal and a draft site plan to the
4 condo presidents. That number was reviewed; the
5 condo countered with a 40 percent increase. We
6 looked at it and we agreed to it.

7 So, at the moment we're -- we're there where
8 we should be on the numbers; they're agreed to.
9 Right now, we are in the process of reviewing the
10 bylaws and title and deeds for the condo
11 properties.

12 MR. PERRONE: Moving on, on that topic of the alternate
13 access do you have any updates on how that
14 alternative might affect the utility route?

15 THE WITNESS (Weinpahl): Yes, David Weinpahl, On Air
16 Engineering.

17 The utility route could potentially change
18 from the condo property or it also could remain as
19 originally designed. That follow-up investigation
20 with Eversource has not taken place yet.

21 MR. PERRONE: Do you have any updates on the projected
22 tree removals for the alternate access since the
23 last hearing?

24 THE WITNESS (Weinpahl): Yes. David Weinpahl again.

25 We expect the tree count to remain or likely

1 go down actually with this alternate route from
2 the condo property. It's very likely to come down
3 20 percent, say.

4 MR. PERRONE: Do you have a rough cost comparison of
5 the proposed versus the alternative access?

6 THE WITNESS (Weinpahl): David Weinpahl again.

7 No, we do not have a cost comparison at this
8 time for the road construction.

9 MR. PERRONE: Do you have an approximate cut and fill
10 comparison of alternate access versus proposed
11 access?

12 THE WITNESS (Weinpahl): David Weinpahl again.

13 That -- we could state for the alternate
14 access there is very limited cut and fill. It is
15 a fairly flat area that we're just traversing.
16 The grade would -- the grade increase would be
17 about approximately nine feet from where we would
18 curb cut off of the paved access roads and the
19 condo development to where the tower is
20 approximately 230 feet away.

21 So, this is very minimal grading. There is
22 virtually no cut to -- to that.

23 MR. PERRONE: And my next one -- I believe Mr. Vergati
24 said they were researching this, but are there any
25 known deed restrictions that would prevent the

1 alternative access?

2 THE WITNESS (Vergati): This is Ray Vergati.

3 We've ordered title on the condo properties.

4 We're awaiting title. I'm not aware of any
5 restrictions at the moment, but once we receive
6 the title and documents we'll review them
7 in-house, obviously, to understand is there any
8 restrictions or covenants that would prevent
9 access coming in through the condo properties.

10 MR. PERRONE: Moving on to the transcript, page 24,
11 where there is discussion of the public safety
12 antennas. Would the tower be designed to
13 structurally accommodate all of the pending public
14 safety antennas?

15 THE WITNESS (Vergati): This is Ray Vergati, Homeland
16 Towers.

17 Yes, we would design the tower structurally,
18 both steel and foundation-wise to accommodate
19 public safety that's currently being proposed by
20 the Bridgewater Volunteer Fire Department for
21 placement of two antennas, as well as the Town of
22 Brookfield Public Safety for the placement of
23 three antennas for fire, police, and public works.

24 MR. PERRONE: Do you know how the public safety
25 antennas would affect the power density

1 cumulatively?

2 **THE WITNESS (Lavin):** This is Martin Levin.

3 Their power levels are much, much lower than
4 ours. I wouldn't expect them to contribute even 1
5 percent more of the percent of MPE at the base of
6 the tower.

7 **MR. PERRONE:** Moving on to page 30 of the transcript,
8 there was discussion of access crossing a wetland
9 to reach the Town's preferred alternative at a
10 distance of approximately 1500 feet from the high
11 school.

12 My question is, at that distance is it
13 necessary to cross a wetland with the access?

14 **ATTORNEY BALDWIN:** Mr. Perrone, can we just get a
15 clarification? You're talking about the access to
16 the alternative site that was discussed on the
17 high school property?

18 **MR. PERRONE:** Yes.

19 **ATTORNEY BALDWIN:** Okay.

20 **MR. PERRONE:** Yeah. Page 30 of the transcript, there
21 was mention of a wetland crossing for the access
22 to that I believe at 7 Long Meadow Hill Road.

23 **THE WITNESS (Vergati):** This is Ray Vergati, Homeland
24 Towers.

25 We had walked the site with the Town as well

1 with our A and E. The proposed access road, there
2 were two points of entry; one was to go up an
3 existing trail, per se, that a hundred percent
4 crosses a wetland. There's a culvert that's
5 currently there.

6 There was another option to go a little
7 further to the north behind the tennis court
8 locations. There's also an intermittent stream,
9 what I believe was some underground piping that
10 goes through there as well. And, you know, either
11 location would involve crossing of the wetland.

12 MR. PERRONE: Moving on to page 55 of the transcript,
13 how would Homeland control stormwater runoff
14 during the construction of the proposed access?

15 THE WITNESS (Weinpahl): Yes, David Weinpahl.

16 The stormwater plans identify all the means
17 that are going to be used through silt fencing and
18 other measures. We also discussed at the original
19 hearing doubling up silt fence in the steeper
20 areas as was a concern brought up in that design.

21 MR. PERRONE: As far as the alternative access, how
22 would you control stormwater, stormwater runoff
23 during the construction of the alternative access?

24 THE WITNESS (Weinpahl): Again, David Weinpahl.

25 That that's a much shallower path, less

1 grading. We would likely deploy some swales with
2 infiltration for controlling the water before it
3 even touches the condo paved driveway that we
4 would start our road off of.

5 Again, that will all be controlled and
6 erosion controls put in place prior to any
7 construction, the silt fencing and whatever else
8 is required.

9 MR. PERRONE: Also related to the alternative access,
10 if that access is selected, if the project is
11 approved would that access comply with the
12 Connecticut guidelines for soil erosion and
13 sediment control?

14 THE WITNESS (Weinpahl): David Weinpahl again.

15 Yes, it would.

16 MR. PERRONE: And also, would it comply with the
17 Connecticut stormwater quality manual?

18 THE WITNESS (Weinpahl): David Weinpahl again.

19 Yes, it would.

20 MR. PERRONE: And the next topic is RF. Would ETI's
21 technology potentially work for Cellco if they
22 utilized an antenna system from JMA, an approved
23 vendor of Cellco?

24 THE WITNESS (Vergati): I'm not sure what the question
25 is. ETI is proposing an antenna and you're -- and

1 we're putting our antennas up as well. But the
2 JMA antennas, the one we're intending to use,
3 we're talking about using both together.

4 MR. PERRONE: I believe if ETI's alternative was used
5 in lieu of the proposed, if ETI utilized the JMA
6 antennas, would that potentially work as an
7 alternative?

8 THE WITNESS (Vergati): You'd have to ask ETI if they
9 can use JMA's antennas. I don't know if they can.
10 For us, it's -- we have no -- they're not an
11 approved vendor and we have no indication that the
12 ETI antennas will work for us.

13 MR. PERRONE: And lastly, moving on to Council
14 Interrogatory 24. Is the tower at 37 Carmen Hill
15 Road that hosts Cellco's co-location, is that
16 tower expandable in height?

17 ATTORNEY BALDWIN: Mr. Perrone, I'm not sure anyone on
18 our panel can answer the question regarding this,
19 the structural integrity of that tower.

20 I apologize.

21 MR. PERRONE: Thank you. That's all I have.

22 THE VICE CHAIR: Thank you, Mr. Perrone.

23 We'll now continue the cross-examination of
24 the Applicant on the new exhibits by Ms. Hall
25 followed by Mr. Carter.

1 Ms. Hall, good afternoon.

2 MS. HALL: Good afternoon. I want to thank Mr. Perrone
3 for his questions. He asked the questions that I
4 had about the status of the alternate approach.

5 And I have no further questions. Thank you.

6 THE VICE CHAIR: Thank you, Ms. Hall.

7 We'll now continue the cross-examination by
8 Mr. Carter followed by Dr. Williams.

9 Good afternoon, Mr. Carter.

10 MR. CARTER: Good afternoon, Mr. Vice Chair. I hope
11 that you are enjoying fake summer, or I guess our
12 first heat wave.

13 I don't have any further questions. Thank
14 you.

15 THE VICE CHAIR: Very good. Thank you, Mr. Carter.

16 We'll now continue the cross-examination on
17 the new exhibits by Dr. Williams followed by
18 Mr. Golembiewski. Dr. Williams, good afternoon.

19 DR. WILLIAMS: Good afternoon.

20 I have nothing at this time. Thank you.

21 THE VICE CHAIR: Very good. We'll now continue the
22 cross-examination on the new exhibits by
23 Mr. Golembiewski followed by Mr. Nguyen.

24 Mr. Golembiewski, good afternoon.

25 MR. GOLEMBIEWSKI: Good afternoon. I have no questions

1 on the new exhibits. Thank you.

2 THE VICE CHAIR: Thank you. We'll continue the
3 cross-examination on the new exhibits by
4 Mr. Nguyen followed by Mr. Syme.

5 Mr. Nguyen, good afternoon.

6 MR. NGUYEN: Good afternoon.

7 No additional questions from me. Thank you.

8 THE VICE CHAIR: Very good. Thank you.

9 We'll continue the cross-examination by
10 Mr. Syme. I don't believe he's on as of yet, but
11 we'll skip over and go to Mr. Lynch.

12 Good afternoon, Mr. Lynch.

13 MR. LYNCH: Good afternoon.

14 I have no further questions.

15 THE VICE CHAIR: Very good. Thank you. I too would
16 like to thank Mr. Perrone for his detailed
17 analysis of the alternative and his questions.
18 And I have no further questions. Thank you.

19 With that, we'll continue the
20 cross-examination of the Applicant on the new
21 exhibits by Lake Lillintonah Shores Condo
22 Association One and Two. Richard Baldelli,
23 please. Good afternoon, Mr. Baldelli.

24 RICHARD BALDELLI: Good afternoon.

25 Am I coming through this time?

1 THE VICE CHAIR: Yes, you are. Thank you.

2 RICHARD BALDELLI: Great. I want to thank the Siting
3 Council for giving us the opportunity to express
4 our views on this application.

5 A VOICE: (Unintelligible.)

6 RICHARD BALDELLI: I'm sorry? Oh.

7 ATTORNEY BALDWIN: A question, Vice Chair Morissette?

8 If we could ask our friends from ETI to mute
9 their phone, that would be helpful.

10 THE VICE CHAIR: Yes, if we could? If ETI could mute
11 their phones, please. We're getting some
12 feedback. Thank you.

13 Let's try it again.

14 RICHARD BALDELLI: Okay. Well, I start out with a
15 thank you again. Can't hurt. So again, we thank
16 the Siting Council for giving us this opportunity.

17 And I do wish to clarify that pursuant to a
18 tentative verbal agreement with Mr. Vergati from
19 Homeland to paint, slash, color the tower from the
20 treeline down a darkish-type color, and from the
21 treeline up a lightish-type color, and a dark
22 color on the compound fencing, that LLSCA does not
23 have an actual objection to the cell tower itself.

24 Having said that, I will also let you know
25 that in addition to the collective knowledge of

1 LLSCA One and Two's board of directors and our
2 residents, the following questions are based on 37
3 years of hands-on experience in the review,
4 inspection, monitoring and enforcement of erosion
5 and sedimentation controls and the environmental
6 protection of open-space municipal properties,
7 privately-owned properties down to a half acre,
8 and up to 300 acres for the Boehringer Ingelheim
9 USA headquarters.

10 Having said that, our comments are mostly
11 directed onto the site evaluation report and
12 project which is literally item number one in the
13 submission from Homeland to the Council. And
14 pursuant to a lack of a revised erosion and
15 sedimentation plan being submitted, our concerns
16 remain and continue to be a lack of confidence in
17 the driveways' construction -- I'm sorry -- the
18 construction of the driveways --

19 THE VICE CHAIR: Mr. Baldelli?

20 RICHARD BALDELLI: Yes?

21 THE VICE CHAIR: I'm going to interrupt you there.

22 This is not the time to give testimony. This is
23 your opportunity to ask questions of the
24 Applicant.

25 RICHARD BALDELLI: Right. So, that was going to end in

1 a question, but --

2 THE VICE CHAIR: Okay. Very good.

3 RICHARD BALDELLI: I will get to the question quicker
4 then.

5 THE VICE CHAIR: Thank you.

6 RICHARD BALDELLI: Not having any faith in the erosion
7 control plan that's submitted, we are asking
8 Homeland if they will submit a more robust erosion
9 and sedimentation control plan that will prohibit
10 a literally downward shoot of sediment to the town
11 road and the adjacent LLSCA property. That's one
12 question.

13 And -- well, in regards to their construction
14 entrance --

15 ATTORNEY BALDWIN: Mr. Baldelli, why don't we take them
16 one at a time, if you don't mind?

17 THE VICE CHAIR: Please respond. Thank you.

18 THE WITNESS (Weinpahl): Yes, David Weinpahl.

19 I believe some of these items came up earlier
20 on the soil erosion control, a request to
21 double-silt fencing in some areas of the property.
22 And we don't object to doing that. We could
23 certainly revise the plans. We would do that as
24 part of the D and M filing that the Council
25 typically follows after this, this phase is

1 complete.

2 There was also mentioning of an increased
3 access, construction access road, which we would
4 be okay as well implementing into a D and M plan.
5 The entire road design is virtually complete. It
6 is before the Council in the plans you have right
7 now. There will be some fairly minor tweaking to
8 that in the D and M filing.

9 We have calculations backed up in the office.
10 If they are requested, we can provide calculations
11 to justify the entire road design that is before
12 us today.

13 RICHARD BALDELLI: Next question?

14 THE VICE CHAIR: Please do.

15 RICHARD BALDELLI: Okay. In regards to that
16 construction entrance, the erosion and
17 sedimentation control standards mandate that it be
18 a minimum of 50 to a hundred feet. As we all
19 know, the current one is at the very minimum and
20 it doesn't have any water breaks or anything.

21 Will Homeland consider during construction
22 turning the entire length of that, of the 600-foot
23 downhill length into a construction entrance?

24 End of question.

25 THE WITNESS (Weinpahl): David Weinpahl again.

1 As was stated, we -- we have met the minimum
2 requirement. I believe we would be open to
3 increasing that perhaps up to a hundred feet. We
4 could further evaluate the early steep portion of
5 the road as it's currently designed.

6 I don't know that we're going to agree to
7 come up the full length of the -- of the access
8 road as it continues to go upland on that. That
9 could be, again, another portion of the filing for
10 the D and M set that the Council would receive.

11 We will consider it, though.

12 RICHARD BALDELLI: Thank you.

13 The next several questions have to do with
14 the property line infringement based on the plan
15 identified as C-3 and C-9. A review of the plan
16 shows that approximately 230 feet up from Hidden
17 Brook, there appears to be a conflict with the
18 LLSCA property line for about a hundred feet. And
19 then 20 feet above that, there's a
20 twelve-inch-high landscaped block wall that looks
21 like it's sitting right on the property line.

22 During the May 7th -- or in your May 7th
23 response, in regards to the property line
24 infringement, Homeland stated Homeland has no
25 intention and no legal right to encroach onto the

1 LLSCA property.

2 Will Homeland be willing to resubmit plans to
3 the Siting Council clearly showing, not only will
4 the Homeland not encroach on our LLSCA property
5 with its erosion controls, it will also not permit
6 its E and S installation crew to trespass onto the
7 LLSCA property?

8 THE WITNESS (Weinpahl): Yes, David Weinpahl again.

9 If -- if the plans implied we were doing
10 that, that was not the intent. The entire
11 facility will be constructed on our property and
12 the easement property that we're using for access.
13 That can be also updated in the D and M filing
14 before the Council.

15 And in instances like this, we have the
16 property line staked by land surveyor prior to any
17 construction, so we'll know exactly where that
18 property line is. No stone walls will be removed
19 of any nature and we will shift a few feet over as
20 needed to get in E and S controls and the
21 remainder of our -- our work.

22 RICHARD BALDELLI: My followup to that question is
23 another question. It says -- well, LLSCA believes
24 that the Homeland's non-encroachment statement is
25 in contradiction to the plans that are on files.

1 What guarantees will Homeland provide that it
2 will not perform any excavation, filling and
3 grading and final grading, including the drainage
4 basin at the bottom of the slope onto the LLSCA
5 property line?

6 Would you be willing to post a surety or a
7 cash bond to guarantee that?

8 ATTORNEY BALDWIN: Vice Chair Morissette, excuse me.
9 I'm going to object to the question. We're
10 outside the scope of the last two exhibits that
11 were submitted, point one. But again, these are
12 questions that have been asked and answered in
13 interrogatory responses and at the last hearing.

14 We're happy to review them again, but I think
15 it's already in the record what the Applicant's
16 response is to those questions.

17 THE VICE CHAIR: Thank you, Attorney Baldwin.

18 Mr. Baldelli, the line of questioning is to
19 keep it associated with responses to six and seven
20 that are in the hearing program. We have
21 discussed this already. The previous response,
22 although it did not address posting the bond --
23 and posting the bond is not something that the
24 Siting Council has jurisdiction over.

25 So, I will not let the panel answer that

1 question because we have no jurisdiction over
2 requirements of posting a bond for that type of
3 work.

4 So, if you could continue with your
5 questions, please?

6 RICHARD BALDELLI: Okay, thank you.

7 THE VICE CHAIR: Thank you.

8 RICHARD BALDELLI: Now I'm going to discuss briefly or
9 ask about the drainage for this driveway during
10 post construction. And you're showing a 600-foot
11 downhill run with eight 10-inch check dams.

12 Are you confident that the swales as shown
13 will be able to accommodate the now common climate
14 change downpours of one to three inches per hour
15 during the summer?

16 THE WITNESS (Weinpahl): Yes, David Weinpahl again.

17 The swales are designed along in conjunction
18 with the road to handle that, that runoff. We
19 used a ten-year storm in our model here. And
20 again, calculations can support the design for the
21 number of swales, the number of check dams, the
22 sizing and all of the water volume that was
23 determined.

24 RICHARD BALDELLI: Thank you.

25 And at the very bottom of this 600-foot run,

1 the drainage system makes a 90-degree turn, and
2 then you go on to say that it will result in a net
3 zero runoff. Is that accurate in that it's going
4 to come down 600 feet, make a 90 degree turn at
5 the bottom and you'll have net zero runoff?

6 THE WITNESS (Weinpahl): David Weinpahl again.

7 Yes -- well, you're not going to have 600
8 feet of water all coming to the one point. It's
9 going to work through the swale system and the
10 check dams upstream from the last check dam at the
11 base before the 90 degree turn of the pipe, which
12 if that's a very big concern, we can soften up
13 that angle, which then goes into our catchment
14 area.

15 So again, the design that's before us has
16 been checked several times and verified, and we
17 don't have an issue with this functioning.

18 RICHARD BALDELLI: And do you have confidence that
19 ten-inch-high check dams can accommodate the
20 typical sediment and debris that comes down?
21 Because I don't see where you have submitted a
22 drainage system maintenance and management plan.

23 So, how will debris accumulation, leaf
24 droppings and so on be done to keep a
25 ten-inch-high -- which is not very high, really --

1 check dam from becoming blocked and pretty much
2 useless over a period of time?

3 THE WITNESS (Weinpahl): Yes, David Weinpahl.

4 During the construction phase, those -- that
5 can be removed during construction as visible.
6 For long-term maintenance that will be addressed
7 in the D and M plans that would be filed with the
8 Council as the next stage here in terms of having
9 the swales, the check dams monitored and cleaned
10 to function properly.

11 RICHARD BALDELLI: Okay. So, I understand that this
12 other plan you just mentioned would encompass or
13 would -- a part of that would be a drainage system
14 maintenance and management plan? So, I'm not
15 familiar with the process on your end, so.

16 THE WITNESS (Weinpahl): Yeah, that is correct.

17 RICHARD BALDELLI: Okay. Thank you.

18 And I sort of have a rhetorical question at
19 the moment, and I'll go off the last sentence that
20 was there. Is homeland confident that this
21 drainage system will have a zero net runoff? And
22 will you guarantee that the drainage system will
23 have no impact on the town roadway and the LLSCA
24 property, both during construction and post
25 construction?

1 THE WITNESS (Weinpahl): David Weinpahl, once again.

2 Yes, that is correct. We will have zero net
3 runoff in our design, and the plans have indicated
4 that.

5 RICHARD BALDELLI: Thank you. One more mini group of
6 questions and I will be out of everyone's way.

7 In the application it states that the cell
8 tower and its construction may affect, but not
9 adversely affect the northern long-eared bat,
10 which is a federally endangered species; and the
11 tricolored bat, which is a federally proposed
12 endangered species.

13 Will Homeland be willing to submit their
14 biological study that substantiates that
15 statement? I couldn't find it in the application.
16 If it's there, just tell me where it is, please.

17 THE WITNESS (Hosek): This is Sean Hosek with Trileaf.

18 So, we have submitted the submissions for
19 those in attachment ten.

20 ATTORNEY BALDWIN: Attachment ten of the application,
21 Mr. Baldelli.

22 RICHARD BALDELLI: I'm sorry, say it again, please?

23 ATTORNEY BALDWIN: In the application itself,
24 attachment ten.

25 RICHARD BALDELLI: Okay. All right. Thank you for

1 doing that for me.

2 ATTORNEY BALDWIN: Sure.

3 RICHARD BALDELLI: Okay. And finally, in response to
4 this question earlier, LLSCA inquired about the
5 bear, the deer, the turkeys, the bobcats and the
6 foxes that constantly transverse both properties,
7 and Homeland stated that as they were not, that
8 wildlife was not classified as federally
9 enhanced -- federally endangered species, they
10 wouldn't generate a biological assessment thereto.

11 Since the prevalence of that wildlife is
12 rather heavy and anticipating that construction
13 would most likely be a warm-weather event and when
14 these critters are around a lot, we are again
15 asking if Homeland would consider performing a
16 biological assessment of the wildlife during the
17 construction phase of this project.

18 THE WITNESS (Vergati): Ray Vergati, Homeland Towers.

19 We wouldn't see the need to provide such
20 report. In response to this being construction in
21 warm weather, taking effect or taking -- occurring
22 during warm weather, we have a time-of-year
23 tree-clearing restriction that runs between April
24 15th through September 30th.

25 So, if this docket is approved, we would most

1 likely be constructing outside of the warm-weather
2 conditions.

3 RICHARD BALDELLI: Okay. So, I'm taking that as a hard
4 no to that last question.

5 THE WITNESS (Vergati): Ray Vergati, Homeland Towers.

6 If it's not required, it would be a hard no.

7 RICHARD BALDELLI: Okay. Thank you.

8 Mr. Morissette, I am all finished.

9 Again, thank you very much to the Council and
10 to Homeland for tolerating my questions again.

11 THE VICE CHAIR: Thank you, Mr. Baldelli.

12 We'll continue cross-examination of the
13 Applicants on the new exhibits by the Town of
14 Brookfield. Unfortunately, First Selectman Dunn
15 was unable to join us this afternoon. So, we will
16 continue with John Howard and Steve Jalil.

17 Good afternoon.

18 JOHN HOWARD: Good afternoon.

19 STEVE JALIL: Good afternoon. This is Steve Jalil from
20 ET Industries, ETI.

21 I just want to clarify the question before
22 from Mr. Perron, which was a very good question.
23 In the prior meeting it was stated that ETI, ET
24 Industries is not an approved vendor by Verizon.
25 Therefore, we have proposed an antenna by an

1 approved vendor that they do have approved, JMA,
2 which will increase the coverage as opposed to the
3 current antenna that they're utilizing. That
4 would be model MX04FR0845-02E. It has about a 15
5 dB gain at 700 megahertz. We propose a model that
6 is at 700 megahertz from the approved vendor, JMA.

7 Why hasn't the Verizon and the RF team
8 proposed a higher-gain antenna like MX06FHG665-HG?

9 THE WITNESS (Lavin): This is Martin Lavin. We got
10 your filing. You just sent us the cut sheet and
11 no explanation of why you had sent it. So, we
12 haven't done any work in that regard.

13 Verizon RF has carefully selected the antenna
14 they have and are confident that that's the
15 antenna that's needed here.

16 STEVE JALIL: In your coverage map at 700 megahertz, it
17 has shown that coverage at about 3 kilometers from
18 Carmen Hill Road is no longer -- let's say, it's a
19 dead zone at 3 kilometers from Carmen Hill Road
20 from that existing tower, which you're utilizing a
21 15 dB gain antenna.

22 Is that the case where you concluded that at
23 3 kilometers from Carmen Hill Road the signal is
24 dead at 700 MHz? Is that the 15 dB antenna you're
25 utilizing to come to that conclusion?

1 ATTORNEY BALDWIN: Vice Chair Morissette, I'm going to
2 object to the question. The first question was
3 close, but it was an extension of Mr. Perrone's
4 question, so I didn't object.

5 But I'll object to this one because now we
6 are outside the scope of the current proceedings,
7 which relates to the last two exhibits that
8 Verizon submitted.

9 JOHN HOWARD: Okay. This is John Howard. I would like
10 to ask a question, if I may?

11 THE VICE CHAIR: Well, let's clear up this other one
12 first before we continue. Mr. Baldwin's objection
13 will be sustained.

14 And I suggest, Mr. Jalil, if you have further
15 comments, that you include them in your brief as
16 to what your arguments are associated with the
17 point that you're trying to make here.

18 We will now continue with Mr. Howard.

19 Go ahead, Mr. Howard.

20 JOHN HOWARD: Thank you very much.

21 Could you tell me what the declination of the
22 antenna on Carmen Hill is towards the direction
23 where there is no service?

24 ATTORNEY BALDWIN: Again, Mr. Morissette, I'm going to
25 object to the question. It's outside of the scope

1 of the current proceeding.

2 JOHN HOWARD: It's exactly in the scope of the current
3 session because that will extend or not extend
4 coverage. So, it is important to know if Verizon
5 did their work correctly or not.

6 THE VICE CHAIR: Well, we are currently reviewing the
7 new exhibits filed by the Applicants, and that
8 question is not part of those responses at all.

9 So --

10 JOHN HOWARD: So --

11 THE VICE CHAIR: -- it is outside the scope. And
12 again, if you want to make a point, you're more
13 than willing to file a brief.

14 JOHN HOWARD: All right. We will do it.

15 THE VICE CHAIR: Please continue. Anything else?

16 JOHN HOWARD: No, that's fine. Thank you.

17 THE VICE CHAIR: Thank you.

18 Mr. Jalil, anything else?

19 STEVE JALIL: No, that's fine. I just wanted to
20 clarify why the JMA antenna at 700 megahertz was
21 not considered in the planning. So, that's fine.

22 Thank you very much.

23 THE VICE CHAIR: Very good. Thank you.

24 We'll continue cross-examination of the
25 Applicants on the new exhibits by Paska Nayden.

1 Paska Nayden, please. Good afternoon.

2 PASKA NAYDEN: Thank you. Good afternoon, and thank
3 you, Chairman. I appreciate the opportunity. I'm
4 going to keep mine short, and I will follow
5 through a supplemental filing based on some of the
6 comments that were made here.

7 But one of my key questions -- and I don't
8 know if it's already been provided, so I apologize
9 if you already have. One of the key questions the
10 Town has always struggled with is, have we
11 established empirically that there is a public
12 need for this tower? And that placement
13 specifically in the spots that have been selected,
14 do we have the appropriate empirical data versus
15 propagation maps? Are they on the record? That's
16 all I'm asking right now.

17 ATTORNEY BALDWIN: Vice Chair Morissette, I think the
18 Applicant would stand by the information that is
19 provided in its application as a justification for
20 need as well as testimony provided at the last
21 hearing, as well as our responses to
22 interrogatories that the Applicant has filed in
23 response to the Council and other parties and
24 interveners.

25 So, yeah, we rely on the record as it

1 currently stands.

2 THE VICE CHAIR: Thank you, Attorney Baldwin.

3 PASKA NAYDEN: Okay. May I ask another question,
4 please?

5 THE VICE CHAIR: Certainly. Please continue.

6 PASKA NAYDEN: Okay. You know, based on where this is
7 placed and how high it's placed and how far the
8 specifics are, you've selected a 5G spectrum, 3700
9 megahertz. Is that correct that that will also be
10 housed on this tower, or has something changed?

11 THE WITNESS (Lavin): It is one of the many frequencies
12 to be on this tower. I believe all of Verizon's
13 license frequencies will be on the tower from 700
14 up to 3700.

15 PASKA NAYDEN: Okay. But we know that that has
16 diminishing return for anybody and the end users.
17 So, I'm just curious as to how this committee has
18 selected that for public need. What is the stated
19 public need for that frequency?

20 Because we know distance is very limited, and
21 based on height and where it's located, I don't --
22 I personally don't understand what the public need
23 would be for that where it is.

24 Usually those are put in high urban areas
25 because most effectively they're at, like, a mile

1 and a half, no more than two. So, I just would
2 like to understand what the public need for it is.

3 THE WITNESS (Lavin): This is Martin Lavin again.

4 The public need is for coverage in this area,
5 as documented in the application and in our
6 interrogatories. Inclusion of 3700 on the tower
7 or AWS/PCS, any of the mid- to high-band
8 frequencies doesn't affect the 700 megahertz
9 coverage at all.

10 There's really no reason not to put every
11 frequency on there. The addition of more
12 frequencies does not limit the coverage of the
13 low-band frequencies at all.

14 PASKA NAYDEN: Well, I understand the 700 and the
15 telecom and the cell phone coverage, but this
16 frequency is predominantly about data collection.
17 So again, the population in that vicinity, I just
18 think that -- again, I would love to see a
19 documented public need for the data piece of this
20 and why it would be needed on this tower since
21 Brookfield already has many, many towers -- and
22 that's another point. So, I talked about public
23 need.

24 The other point that I'd like to understand
25 is the cumulative. Brookfield already has,

1 like -- what? Twelve, 14, 15 towers, and so many
2 more 5Gs and other terrestrial antennas. What's
3 the cumulative analysis that FCC does require for
4 you? Earlier someone indicated maybe it's, like,
5 1 percent in impact.

6 Do we have that empirically stated, that all
7 of the various antennas in the vicinity have been
8 evaluated for the cumulative RF and EMF impact?

9 THE WITNESS (Lavin): This is Martin Lavin again.

10 It's been evaluated for all the frequencies
11 on this tower. Cumulatively speaking, from towers
12 that are all over a mile away, any additional
13 effect would be negligible. It's not part of the
14 analysis under OET 65.

15 PASKA NAYDEN: Okay. So, if I'm living within a mile
16 and I put my EMF reader in my bedroom, you're
17 saying that my EMF/RF reader, you're saying that
18 it would be negligible? I would not see a tick up
19 in the radiation emissions?

20 Again, this is cumulative because all of
21 these antennas, you know they propagate, they
22 harmonize, they heterodyne, they change. And so,
23 you're saying that a family with the children
24 sleeping in the bedroom will not feel that
25 cumulative impact?

1 ATTORNEY BALDWIN: Vice Chair Morissette, I'm going to
2 object to the question. One, we're outside the
3 scope of the current interrogatories. We were
4 close to it the last question.

5 But also, now we're starting to get into the
6 question of health effects, which are, as the
7 Siting Council states --

8 PASKA NAYDEN: No, no --

9 ATTORNEY BALDWIN: -- in the statement --

10 PASKA NAYDEN: I'm sorry.

11 ATTORNEY BALDWIN: Excuse me, Miss --

12 PASKA NAYDEN: I object.

13 ATTORNEY BALDWIN: Excuse me.

14 PASKA NAYDEN: I object to that. It's not health.

15 THE VICE CHAIR: Ms. Nayden, let Attorney Baldwin
16 finish, please.

17 ATTORNEY BALDWIN: We have demonstrated in the
18 application that the facility will comply with the
19 FCC standards, and that's our obligation. And I
20 think we'll rely on that testimony and that
21 evidence that is currently in the record.

22 THE VICE CHAIR: Go ahead, Ms. Nayden. Your response?
23 Ms. Nayden?

24 PASKA NAYDEN: Oh, I'm sorry. I got muted. Okay. I'm
25 sorry. I was looking at that.

1 THE VICE CHAIR: Yeah. Going forward, please don't
2 interrupt when somebody is speaking.

3 PASKA NAYDEN: Oh, I'm sorry about that. That is
4 something rude on my part, so I apologize.

5 THE VICE CHAIR: Okay. So your --

6 PASKA NAYDEN: But can I just -- can I -- I'm sorry.

7 THE VICE CHAIR: Your question is outside of the scope
8 of the hearing this afternoon.

9 PASKA NAYDEN: Uh-huh.

10 THE VICE CHAIR: And the FCC sets the standards for
11 health effects. So, your questions are going way
12 over out of bounds here. So, if you have any
13 further questions, please continue.

14 PASKA NAYDEN: I appreciate the only reason I was
15 objecting -- if I may?

16 THE VICE CHAIR: Please.

17 PASKA NAYDEN: Because I was not asking about health
18 effects. I was asking about cumulative effects,
19 the radiation cumulative effects that would be in
20 the environment. So I --

21 THE VICE CHAIR: Yes, and that is also outside the
22 scope of our review here.

23 PASKA NAYDEN: Okay.

24 THE VICE CHAIR: Okay. Anything else?

25 PASKA NAYDEN: Thank you so much.

1 THE VICE CHAIR: Very good. Thank you.

2 We'll continue the cross-examination of the
3 Applicants on the new exhibits by Elaine Simoes.

4 Elaine, good afternoon.

5 ELAINE SIMOES: Good afternoon. Thank you for allowing
6 me to speak. I have several questions regarding
7 my questions, the first being the response to my
8 second question regarding coverage.

9 How are the propagation models created?

10 THE WITNESS (Lavin): This is Martin Lavin.

11 We use a propagation tool, Decibel Planner
12 2.0. We have done hundreds of drive tests across
13 Connecticut and across New England to calibrate
14 the model. We do a statistical comparison between
15 those and we have at the various frequencies, and
16 we've developed the models based on that, how well
17 they correlate with measured data.

18 Our models predict -- very predictably
19 produce very accurate results.

20 ELAINE SIMOES: How do antennas work? Do you point
21 them at different directions to cover certain
22 areas?

23 THE WITNESS (Lavin): Yes. In the case of this site,
24 we have four sectors, I believe, and they are
25 roughly 90 degrees away from each other and as a

1 way to separate the traffic in different
2 directions and increase capacity.

3 ELAINE SIMOES: So, when you created your propagation
4 maps for before the cell tower, you were able to
5 set the antennas for those maps pointing in any
6 different ways. Is that correct?

7 THE WITNESS (Lavin): When we created the existing
8 coverage maps, we set the antennas at the azimuths
9 that they are currently set at by Verizon.

10 ELAINE SIMOES: Just today, someone drove part of the
11 areas that you claim have gaps and they showed two
12 bars. Why would that be true?

13 THE WITNESS (Lavin): I have no idea where this is.
14 There's no exact correlation between bars on your
15 phone and what the signal strength is. There are
16 areas in this area that have sufficient coverage
17 for in-vehicle or in-building coverage.

18 So, without knowing exactly where they were,
19 the -- it's hard to say exactly what the
20 consequences of that are, especially since there's
21 no set correlation between bars on the phone and
22 the measured signal strength.

23 ELAINE SIMOES: How do bars correspond to signal
24 strength? I thought that meant signal strength.

25 THE WITNESS (Lavin): It's basically cumulative for the

1 whole spectrum that's coming in. We're all on
2 every frequency. All the sites are on the same
3 frequency and are divided by codes. That's an
4 overall measure of strength on that frequency,
5 including all of the -- the serving signal and all
6 of the others as well.

7 And it is -- there is no standard, so it's
8 different phone by phone what those bars
9 represent.

10 ELAINE SIMOES: So, from what I was told, Muirwood
11 Court, Murphys Lane, Merwin Brook, all those areas
12 were covered today and the bars fluctuate. So,
13 you're saying you have no impact on that
14 fluctuation -- fluctuation, pardon me, and cannot
15 say why there's coverage as opposed to your gaps
16 showing that there's not?

17 THE WITNESS (Lavin): I don't know. I have no idea
18 what phone it was. I have no idea how the bars
19 correlate to the actual signal strength. I have
20 no way of knowing/evaluating exactly where it was
21 on these roads that the measurements were taken.

22 So, there's really no way for me to comment
23 conclusively on whether this is relevant or not.

24 ELAINE SIMOES: You mentioned -- I mentioned the FCC
25 broadband map, and it has a fixed broadband and a

1 broadband mobile. And the fixed shows a hundred
2 percent coverage. The broadband shows a tiny,
3 tiny amount missing on Lake Lillinsonah, but yet
4 your gaps show something higher.

5 THE WITNESS (Lavin): Yeah --

6 ELAINE SIMOES: Can you explain?

7 THE WITNESS (Lavin): There are many more settings in
8 there that have to be done, and if you just go
9 with the default settings -- and those hexagons
10 are over a hundred times more coarse than are the
11 bins we use for propagation prediction. And the
12 hexagons have only seven coverage levels; it will
13 show full coverage when you first get into there
14 if that hexagon only has 14 and a half percent
15 coverage.

16 If you set the coverage levels much higher,
17 if you set the available technology to the proper
18 4G or 5G, there's two different speeds there. And
19 also there's an option for in-vehicle coverage.
20 Much of the coverage in this area disappears and
21 it looks an awful lot like our propagation maps,
22 even though those hexagons are a hundred times as
23 large.

24 ELAINE SIMOES: Interesting, because I drive -- I live
25 in Barkwood Falls community, which is a hundred

1 homes, and I drive through the community and I
2 don't see those gaps. And I do have Verizon as my
3 carrier and I've also driven through 133, and
4 don't see those map coverages missing.

5 My question is, would you consider showing a
6 drive test to prove your propagation maps?

7 THE WITNESS (Lavin): In our opinion, we've developed
8 the propagation models to the extent where the --
9 the drive tests are no longer necessary. Our
10 accuracy is -- is very high. There's no necessity
11 to be driving through there, and we have not done
12 a specific drive test for this area.

13 ELAINE SIMOES: When you do drive tests, can we get
14 information showing the drive test versus the gap
15 that you submitted for other dockets?

16 I believe it will show a difference.

17 ATTORNEY BALDWIN: Vice Chair Morissette, I'm not -- is
18 there a question there? Yeah, or is --

19 ELAINE SIMOES: The question is --

20 ATTORNEY BALDWIN: -- is it relevant at all to this?

21 ELAINE SIMOES: Have they done drive tests for other
22 dockets to compare what their model shows?

23 THE WITNESS (Lavin): We have in some dockets and not
24 in others.

25 ELAINE SIMOES: Could you please submit to CT Siting

1 the dockets that show the drive test versus your
2 model?

3 ATTORNEY BALDWIN: I'll object to the question,
4 Mr. Morissette. We rely on the docket and the
5 information contained in the docket that's before
6 the Council to justify the need for this facility.

7 THE VICE CHAIR: Thank you, Attorney Baldwin.

8 The objection --

9 ELAINE SIMOES: My question was regarding --

10 THE VICE CHAIR: The objection is sustained. We're not
11 going to allow it. It's not related to this
12 docket, so it's not going to be admitted.

13 So, please continue.

14 ELAINE SIMOES: My question is regarding the modeling.
15 The modeling can be simulated in any manner, and
16 that's why the drive test was requested.

17 Being a computer engineer -- and I've done
18 many simulations. I can simulate anything I want.
19 Hence, the models that they propagate are in
20 question, and that was the reason I asked for the
21 drive test.

22 Moving on. Eagles, have you done due
23 diligence regarding the Eagles?

24 THE WITNESS (Hosek): This is Sean Hosek with Trileaf.

25 Yes, we have. We have evaluated the site and

1 coordinated communication with U.S. Fish and
2 Wildlife and the Connecticut DEP on any possible
3 concerns with eagles, and there were none for this
4 site.

5 ELAINE SIMOES: Has drone coverage been done 660 feet
6 circular around the cell tower to prove there are
7 no nests and eagles?

8 THE WITNESS (Hosek): We have only evaluated the site
9 itself and communicated with the U.S. Fish who
10 have lists of sites beyond that, and they did not
11 indicate to us any concerns.

12 ELAINE SIMOES: Okay. Wildlife does not necessarily
13 have all the information. They weren't even aware
14 that there are eagles here, and they have been put
15 in testimony.

16 Do you know that bald eagles are protected?

17 THE WITNESS (Hosek): Yes, we do.

18 ELAINE SIMOES: Do you know they have to do more than
19 just -- you have to do due diligence more than
20 what you have done to prove that there are no
21 eagles in the area or nests?

22 THE WITNESS (Hosek): Yes, and we've -- we've evaluated
23 the site for that.

24 ELAINE SIMOES: So, you evaluated all the laws
25 associated with that and have proven that there

1 are no nests in the area of 660 feet surrounding
2 the tower.

3 ATTORNEY BALDWIN: Vice Chair Morissette, again, this
4 question has been asked and answered at least
5 three or four times now, including the
6 interrogatories that we responded to on May 7th.

7 I don't think we can say much more than is
8 already in those responses.

9 THE VICE CHAIR: Yes, and the objection is sustained.

10 ELAINE SIMOES: Okay. So, I was told today to contact
11 the DEP specifically regarding eagles and for
12 someone to look into the eagles there, and I was
13 told today right now that DEP responded that there
14 were no eagles. Is that correct?

15 THE WITNESS (Hosek): That is correct. They -- they
16 responded that the eagles were no -- not a concern
17 for the site.

18 ELAINE SIMOES: And have you connected -- consulted the
19 Connecticut Audubon?

20 THE WITNESS (Hosek): We have not, and we have not
21 reached out to them as that was not part of the
22 normal process.

23 ELAINE SIMOES: As far as the historical preservation
24 and archaeological survey, was the area surveyed
25 for archaeological finds?

1 THE WITNESS (Hosek): John Hosek with Trileaf.

2 Yes, and no -- no items were found.

3 ELAINE SIMOES: So, within a thousand feet of the cell
4 tower? Within how much?

5 THE WITNESS (Hosek): Within the -- within the area of
6 the -- the tower and the easement.

7 ELAINE SIMOES: What about regarding access, the access
8 road?

9 THE WITNESS (Hosek): Correct, yes. That would be
10 the ease -- all the proposed easement access and
11 the tower compound itself.

12 ELAINE SIMOES: I mentioned in my question that
13 Barkwood Falls, which is, as I stated, a hundred
14 homes, has -- severe storms occur. Was those
15 storms part of your ten-year storm review?

16 THE WITNESS (Weinpahl): David Weinpahl.

17 The storm review was limited to the project
18 limits and the properties shown on the plans.

19 ELAINE SIMOES: So, the fact that Barkwood Falls has
20 been hit by two micro storms, basically tornadoes
21 where trees go every which way, has not been
22 evaluated for the site?

23 ATTORNEY BALDWIN: Vice Chair Morissette, I'm just
24 trying to understand why the weather features at a
25 site other than the one we're talking about in

1 this docket has any relevance to what we're
2 talking about?

3 THE VICE CHAIR: Yes, thank you --

4 ELAINE SIMOES: The question --

5 THE VICE CHAIR: Thank you, Attorney Baldwin. And I
6 don't -- quite frankly, I don't see it in any of
7 the questions that were submitted on May 7th.

8 So, Ms. Simoes, this is an opportunity for
9 you to ask additional questions on the two items
10 that were filed, Exhibits 6 and 7, and I don't see
11 that listed at all in your questions.

12 ELAINE SIMOES: Okay. So, my question one, when I
13 first submitted, mentioned several storms
14 happening in Barkwood Falls. It's associated with
15 storms going over Candlewood into the valley of --
16 I'm sorry, into the river of Lake Lillinonah, and
17 then hitting Barkwood Falls, the community.

18 So, that was my first question. You're
19 stating that that's not part of the questions?

20 THE VICE CHAIR: The questions of May 7, 2026? I don't
21 see it.

22 ELAINE SIMOES: Oh, I can't ask quest -- they answered
23 that question --

24 THE VICE CHAIR: Yes, they have answered that question.

25 ELAINE SIMOES: -- as far as that storm erosion?

1 THE VICE CHAIR: They have answered that question in
2 their interrogatory responses. This is your
3 second opportunity to ask questions of the
4 Applicant, and it's limited to the responses
5 associated with six and seven in the hearing
6 program.

7 You're going way beyond the limits of the
8 hearing on your questions.

9 ELAINE SIMOES: My question --

10 THE VICE CHAIR: You're going back to the original
11 questions. So, please stay on course, please.

12 ELAINE SIMOES: A question regarding wetlands. Has a
13 review of wetlands been done this season?

14 THE WITNESS (Brogie): Martin Brogie; Martin Brogie,
15 Incorporated.

16 By this season, I'm wondering if you mean
17 this past spring? I was out on the site last year
18 to do the environmental around this time. I don't
19 imagine conditions have changed very much.

20 ELAINE SIMOES: Okay. I have one other question, which
21 is not part of the questions, but it was a
22 question on testimony that had to be researched
23 and could not be answered. May I ask it?

24 THE VICE CHAIR: You're on a short leash, but please
25 try.

1 ELAINE SIMOES: So, at one point for a visual, Homeland
2 states that only 14 homes will be seeing the tower
3 and that's in the narrative. And I'd like to know
4 how they came up with that number since all the
5 homes at the beach will see the tower when they go
6 to the beach area. All the homes on the water on
7 Cove and some on Hidden Brook, all the homes in
8 Bridgewater will also be seeing the tower.

9 How did they come up with 14?

10 THE WITNESS (Allen): Matt Allen of Saratoga
11 Associates.

12 The number in the document is an estimate
13 based on a visual scan of the viewshed analysis,
14 which is the tool we have to identify the
15 geographic area where some portion of the tower is
16 likely to be visible. So, it gives an area that
17 you can look at and see whether there is a home
18 within or nearby where the effective viewshed is.

19 So, we simply count logically the homes that
20 fall within or near the viewshed area. It's not a
21 precise number and it is open for interpretation.
22 So, one can review the viewshed map and determine
23 whether a specific home is likely or close to
24 being in an area where visibility could occur.

25 Visibility is, you know, can be measured from

1 either the property or from the home foundation or
2 the home deck itself. It's really open to
3 interpretation of the viewshed map, but that's how
4 we come up with the number.

5 **ELAINE SIMOES:** Okay. Because my number was much, much
6 larger. So, I'm surprised there was -- you said
7 14.

8 Following the bandwidth and the need, now
9 that you've been approved to start building on
10 Wewaka, why wouldn't the propagation maps include
11 Wewaka antennas on them? Because it will cover
12 all the 133 and all of Bridgewater and -- well,
13 the firemen won't be able to put their antennas on
14 that.

15 **THE WITNESS (Lavin):** This is Martin Lavin again.

16 The intervening terrain prevents this site
17 from covering over to this area. The original
18 maps were submitted before the approval was gained
19 for the Wewaka installation.

20 The terrain just prevents Wewaka at the
21 available heights from reaching over to this area.

22 **ELAINE SIMOES:** So, how will the terrain impact this
23 antenna from reaching all the heights? I don't
24 see how it would reach anything on Cove, because
25 we're down to 195 versus 350.

1 THE WITNESS (Lavin): This is Martin Lavin again.

2 We stand by our propagation maps. Where they
3 show coverage, that's where we're predicting
4 coverage and we're confident that it will be
5 there.

6 ELAINE SIMOES: Okay. That's all my questions for now.

7 THE VICE CHAIR: Thank you, Ms. Simoes.

8 We will now move on to the appearance by Lake
9 Lillinonah Shores Condo Association Number One.
10 Will the intervener present its witness panel for
11 the purpose of taking the oath? Attorney Bachman
12 will administer the oath.

13 Richard Baldelli and Alex Kunkel, are you
14 with us?

15 RICHARD BALDELLI: Yes, sir. I'm -- unfortunately, I'm
16 going to have to ask you -- my hearing aids are on
17 the fritz. Could I get you to repeat at least the
18 genesis of what you said to me?

19 THE VICE CHAIR: Will the intervener present its
20 witness panel for purposes of taking the oath?

21 RICHARD BALDELLI: Oh, absolutely.

22 THE VICE CHAIR: Okay. And Mr. Baldelli, is it you and
23 Alex Kunkel? Is Alex with you?

24 RICHARD BALDELLI: No, Melinda Davis, the LLC One vice
25 president is with me.

1 THE VICE CHAIR: Well, she's not listed as part --

2 RICHARD BALDELLI: Correct.

3 THE VICE CHAIR: She's not listed on the hearing
4 program -- so, you are the man. You're the
5 Witness.

6 RICHARD BALDELLI: Okay.

7 THE VICE CHAIR: Okay?

8 RICHARD BALDELLI: Yes, sir.

9 THE VICE CHAIR: All right. Attorney Bachman, could
10 you please administer the oath?

11 ATTORNEY BACHMAN: Thank you, Vice Chair Morissette.

12 Could you please raise your right hand,
13 Mr. Baldelli?

14 R I C H A R D B A L D E L L I,
15 called as a witness, being sworn by THE
16 EXECUTIVE DIRECTOR, was examined and
17 testified under oath as follows:

18

19 ATTORNEY BACHMAN: Thank you.

20 THE VICE CHAIR: Mr. Baldelli, you have offered the
21 exhibits listed under the hearing program as Roman
22 numeral 3, B1 through '8 for identification
23 purposes. Is there any objection to marking these
24 exhibits for identification purposes only at this
25 time, Mr. Baldelli?

1 THE WITNESS (Baldelli): No, no objection.

2 THE VICE CHAIR: Thank you.

3 Richard Baldelli, did you prepare or assist
4 in the preparation of Exhibits 3, B1 through '8?

5 THE WITNESS (Baldelli): Yes, I did.

6 THE VICE CHAIR: Do you have any additions,
7 clarifications, deletions or modifications to
8 those documents?

9 THE WITNESS (Baldelli): Not at this time, no.

10 THE VICE CHAIR: Are these exhibits true and accurate
11 to the best of your knowledge?

12 THE WITNESS (Baldelli): To the best of my knowledge,
13 yes.

14 THE VICE CHAIR: And do you offer these exhibits as
15 your testimony here today?

16 THE WITNESS (Baldelli): Yes, I do.

17 THE VICE CHAIR: Does any party or intervener object to
18 the admission of Lake Lillinonah Shores Condo
19 Association Number One's exhibits?

20 Attorney Baldwin?

21 ATTORNEY BALDWIN: No objection, Mr. Morissette.

22 Thank you.

23 THE VICE CHAIR: Thank you. First Selectman Dunn is
24 not here.

25 John Howard, Steve Jalil, any objection?

1 JOHN HOWARD: No objection.

2 THE VICE CHAIR: Boris Shekhtman?

3 THE WITNESS (Baldelli): No objection.

4 THE VICE CHAIR: Paska Nayden?

5 PASKA NAYDEN: No objection.

6 THE VICE CHAIR: Elaine Simoes?

7 ELAINE SIMOES: No objection.

8 THE VICE CHAIR: Thank you. The exhibits are hereby
9 admitted.

10 We will now begin with cross-examination of
11 Lake Lillintonah Shores Condo Association Number
12 One by the Council starting with Mr. Perrone,
13 followed by Ms. Hall. Mr. Perrone?

14 MR. PERRONE: Thank you, Mr. Vice Chair.

15 My first question is, how many acres is the
16 Lake Lillintonah condominium's property at 38
17 Hidden Brook Drive?

18 THE WITNESS (Baldelli): The condominium property of
19 LLSCA 1 and 2 and our recreation area totals out
20 at approximately 40 acres.

21 MR. PERRONE: Referencing the transcript of the last
22 hearing of pages 20 and 21, what is the status of
23 the site plan and financial proposal for the
24 alternative access?

25 THE WITNESS (Baldelli): Mr. Vergati relayed it

1 correctly. We are in discussions. We have agreed
2 on a number, and our attorney has forwarded our
3 bylaws to Mr. Vergati of Homeland so that they can
4 do the review that they believe that they have to
5 do.

6 MR. PERRONE: Does LLSCA 1 prefer to have the tower
7 access on its property?

8 THE WITNESS (Baldelli): When you say, on its property,
9 do you mean our -- on the LLSCA property?

10 MR. PERRONE: Yes.

11 THE WITNESS (Baldelli): Yeah. Yes, the -- the earth
12 disturbance will be minimal, very minimal and
13 almost level, as I believe one of the engineers
14 had relayed to you, or to the Siting Council.

15 So, the impact to this, to the environment
16 will be much less if they can come through our
17 property.

18 MR. PERRONE: And referencing page 34 of the
19 transcript, if the proposed tower is approved,
20 does LLSCA 1 have a preference as to the color or
21 finish of the tower, such as all galvanized gray,
22 or the two-tone brown at the bottom and gray at
23 the top?

24 THE WITNESS (Baldelli): Yes, we have a preference for
25 the tower and the compound. We would prefer a

1 darker color, whether it's a brownish or a green,
2 whatever someone with a good eye for color
3 determines from the treetops down, and then a
4 lighter color from the treetops up, and the
5 compound to be -- the fence for the compound to be
6 a darker color.

7 And I believe we discussed with Mr. Vergati
8 the possibility, if needed and if both parties
9 agree, to perhaps additional plantings. It may or
10 may not be required to camouflage the compound a
11 little bit more.

12 MR. PERRONE: Thank you. That's all I have.

13 THE WITNESS (Baldelli): Thank you.

14 THE VICE CHAIR: Thank you, Mr. Perrone.

15 We'll now continue the cross-examination by
16 Ms. Hall, followed by Mr. Carter. Ms. Hall?

17 MS. HALL: I have no additional questions. Thank you.

18 THE VICE CHAIR: Thank you.

19 Continuing cross-examination by Mr. Carter,
20 followed by Dr. Williams. Mr. Carter?

21 MR. CARTER: Thank you, Mr. Vice Chair.

22 I have no further questions.

23 THE VICE CHAIR: Thank you. Continuing
24 cross-examination by Dr. Williams, followed by
25 Mr. Golembiewski. Dr. Williams?

1 DR. WILLIAMS: Nothing further from me. Thank you.

2 THE VICE CHAIR: Thank you.

3 Continuing cross-examination by

4 Mr. Golembiewski, followed by Mr. Nguyen.

5 Mr. Golembiewski?

6 MR. GOLEMBIEWSKI: Thank you.

7 I have no questions. Thank you.

8 THE VICE CHAIR: Thank you.

9 Continuing cross-examination by Mr. Nguyen,
10 followed by Mr. Lynch. Mr. Nguyen?

11 MR. NGUYEN: I have no questions.

12 THE VICE CHAIR: Thank you.

13 Continuing cross-examination by Mr. Lynch.

14 MR. LYNCH: I have no questions.

15 THE VICE CHAIR: Thank you. And I have no questions.

16 We'll now continue with cross-examination by
17 the Applicant, Attorney Baldwin.

18 ATTORNEY BALDWIN: Vice Chair Morissette, I have no
19 questions, but I would like to thank, on behalf of
20 Homeland Towers and Verizon, the condominium
21 association for their cooperation with regard to
22 the alternative access.

23 THE VICE CHAIR: Thank you, Attorney Baldwin.

24 We'll now continue with cross-examination by
25 First Selectman Dunn -- but unfortunately he's not

1 with us this afternoon.

2 We'll continue with cross-examination of Lake
3 Lillinonah Shores Condo Association by
4 Electromagnetic Technologies Industries, Inc, John
5 Howard and Steve Jalil.

6 JOHN HOWARD: Okay.

7 THE VICE CHAIR: Any questions?

8 JOHN HOWARD: Questions?

9 STEVE JALIL: I'm done.

10 JOHN HOWARD: We don't have any questions.

11 THE VICE CHAIR: No questions? Very good. Thank you.

12 We'll continue with cross-examination of Lake
13 Lillinonah Shores Condo Association Number One by
14 Boris Shekhtman, please.

15 THE WITNESS (Baldelli): I'm speaking on behalf of
16 Mr. Shekhtman. He's LLSCA 2. I represent both
17 associations.

18 THE VICE CHAIR: Okay. Do you have any questions?

19 THE WITNESS (Baldelli): I'm sorry for not clarifying
20 that before.

21 THE VICE CHAIR: Thank you.

22 Do you any questions of yourself?

23 THE WITNESS (Baldelli): Plenty, but nothing germane to
24 this situation.

25 THE VICE CHAIR: Very good. Thank you.

1 We'll now continue with cross-examination by
2 Paska Nayden.

3 PASKA NAYDEN: I have just one quick question, having
4 lived in a condo for a while at one time. Did you
5 obtain the whole association's approval for this?
6 Was that a vote that was taken by association?

7 THE WITNESS (Baldelli): In items like this, the bylaws
8 give the power to do that to the boards of
9 directors.

10 PASKA NAYDEN: Okay. Thank you.

11 THE WITNESS (Baldelli): You're welcome.

12 PASKA NAYDEN: No further.

13 THE VICE CHAIR: Thank you. We'll now continue with
14 cross-examination by Elaine Simoes.

15 ELAINE SIMOES: I have no questions.

16 THE VICE CHAIR: Very good. Thank you.

17 The next item on the agenda is the appearance
18 by the Town of Brookfield, and first Selectman
19 Dunn unfortunately was not able to attend today.
20 So, we will move on with the appearance of
21 Electromagnetic Technologies Industries, ETI.

22 Will the intervener present its witness panel
23 for the purpose of taking the oath? Attorney
24 Bachman will administer the oath.

25 John Howard and Steve Jalil, please?

1 JOHN HOWARD: Yes.

2 THE VICE CHAIR: Yes. Attorney Bachman, please
3 administer the oath.

4 ATTORNEY BACHMAN: Thank you, Vice Chair Morissette.

5 If you could please raise your right hand?

6 J O H N H O W A R D ,

7 S T E V E J A L I L ,

8 called as witnesses, being sworn by

9 THE EXECUTIVE DIRECTOR, were examined and
10 testified under oath as follows:

11

12 ATTORNEY BACHMAN: Thank you.

13 THE VICE CHAIR: Thank you. We'll now do the
14 verification of ETI exhibits. John Howard and
15 Steve Jalil, you have offered the exhibits listed
16 under the hearing program as Roman numeral 4, B1
17 through '4 for identification purposes. Is there
18 any objection to making these exhibits for
19 identification purposes only at this time?

20 THE WITNESS (Jalil): No.

21 THE WITNESS (Howard): No objection.

22 THE VICE CHAIR: Thank you. John Howard and Steve
23 Jalil, did you prepare or assist in the
24 preparation of Exhibits 4, B1 through '4?

25 THE WITNESS (Howard): Yes.

1 THE WITNESS (Jalil): Yes.

2 THE VICE CHAIR: Do you have any additions,
3 clarifications, deletions, or modifications to
4 those documents?

5 THE WITNESS (Jalil): No.

6 THE WITNESS (Howard): No.

7 THE VICE CHAIR: Very good. Are these exhibits true
8 and accurate to the best of your knowledge?

9 THE WITNESS (Howard): Yes, they are.

10 THE VICE CHAIR: And do you offer these exhibits as
11 your testimony today?

12 THE WITNESS (Howard): Correct.

13 THE WITNESS (Jalil): Yes.

14 THE VICE CHAIR: Does any intervener object to the
15 admission of the ETI exhibits? Attorney Baldwin?

16 ATTORNEY BALDWIN: No objection.

17 THE VICE CHAIR: Thank you. Attorney Baldelli --
18 Richard Baldelli, excuse me. Any objection?

19 Richard Baldelli, any objection?

20 RICHARD BALDELLI: No objections.

21 THE VICE CHAIR: Thank you.

22 First Selectman Dunn is not here.

23 Paska Nayden, any objection?

24 PASKA NAYDEN: No objection.

25 THE VICE CHAIR: Elaine Simoes?

1 ELAINE SIMOES: No objection.

2 THE VICE CHAIR: Thank you. The exhibits are admitted.

3 We'll now begin with cross-examination of ETI
4 by the Council starting with Mr. Perrone.

5 MR. PERRONE: Thank you, Mr. Vice Chair.

6 Referencing ETI's request for intervener
7 status, ETI's technology was deployed in the
8 Caribbean and in New Zealand. My question is, has
9 it been deployed anywhere in the U.S. or any U.S.
10 Territories as of yet?

11 THE WITNESS (Howard): It has been deployed in two
12 cities, in San Francisco and in Chicago. It has
13 been deployed in twelve countries as well.

14 MR. PERRONE: And what type of applications were they
15 used for in those two cities?

16 THE WITNESS (Howard): Some of them were Wi-Fi, and
17 some of them were frequencies that were awarded by
18 the FCC.

19 MR. PERRONE: Turning to ETI's response to council
20 interrogatory number two, would both the 60 Vale
21 Road and 39 Carmen Hill Road sites be necessary to
22 provide comparable coverage and capacity?

23 THE WITNESS (Howard): The one in Carmen Hill is a
24 prime position to cover -- to provide coverage in
25 the area that is unserved at the moment.

1 MR. PERRONE: If the proposed tower is not constructed,
2 could ETI's technology meet the needs of emergency
3 responders?

4 THE WITNESS (Howard): Yes.

5 MR. PERRONE: So, your technology is not just limited
6 to commercial carriers.

7 You could cover public safety as well?

8 THE WITNESS (Howard): We can cover public safety
9 depending upon the -- whatever frequency is used.
10 It is, let's say, agnostic in frequency.

11 So yes, we can do that.

12 MR. PERRONE: Referencing the response to council
13 interrogatory number three, if ETI's alternative
14 is employed, I understand it would require an RF
15 feasibility study. Would it also require a
16 structural analysis to verify that the tower could
17 support the equipment?

18 THE WITNESS (Howard): The equipment are no, let's say,
19 different in weight or the dimensions of existing
20 systems and -- but we do need to do a survey,
21 let's say, of the area. We always do that.

22 We don't only depend on, let's say, software.
23 We do also a live test and check of the area that
24 needs to be covered.

25 MR. PERRONE: Do you need an open line of sight for

1 your technology to work?

2 THE WITNESS (Howard): Not necessary.

3 MR. PERRONE: And lastly, I'm going to turn to the
4 attachment three, which is the Carmen Hill Road
5 two proposed site diagram drawing. My questions
6 will be centered around that.

7 Could you give us a summary of the site
8 diagram attachment three and indicate how it would
9 work?

10 THE WITNESS (Jalil): Carmen Hill Road.

11 THE WITNESS (Howard): Which is the actual --

12 THE WITNESS (Jalil): Yeah.

13 THE WITNESS (Howard): Yeah. Well, there is -- this is
14 merely an example. This shows a one-beam system
15 that there is a certain area that needs to
16 propagate, which is called the first Fresnel zone,
17 and it is directed towards the area that is
18 unserved.

19 We can make the beams narrow or wide at will,
20 and therefore, once we examine the area we can
21 decide how many beams we are going to use. It
22 doesn't have to be just one. It could be a
23 number. We have the ability to provide 2,048
24 beams. Of course, this is used for military
25 purposes, not so much for commercial.

1 So, after we do the actual survey, then we
2 will establish a decision as to the number of
3 beams required.

4 THE WITNESS (Jalil): I would just like to add to that,
5 Mr. Perrone, the -- that caricature or that
6 pictorial is simply showing that GMA antenna that
7 has a higher gain as an example with use of Carmen
8 Hill Road.

9 MR. PERRONE: Is that design based on or could be based
10 on the JMA antennas?

11 THE WITNESS (Jalil): Yes.

12 THE WITNESS (Howard): Correct.

13 MR. PERRONE: And I have a couple of -- I understand
14 it's an example, but I just have a couple of other
15 questions on that figure itself. There's a
16 150-foot lattice tower up on top of the hill, and
17 what does that represent?

18 THE WITNESS (Jalil): That that -- from our
19 understanding, that is the current structure that
20 is there at Carmen Hill Road.

21 MR. PERRONE: As far as the Carmen Hill Road tower,
22 which I believe you have dimensions at 150 meters,
23 is it correct to say that the tower itself is
24 about 139 meters, and then there's a mast at the
25 top that covers the other 11?

1 THE WITNESS (Howard): Yeah.

2 THE WITNESS (Jalil): Yeah -- well, there's the -- we
3 included the terrain height altitude in addition
4 to the public information about that tower that's
5 there currently, but our understanding was that it
6 is a 150-meter tower at Carmen Hill Road.

7 But we will have to assess further, but we --
8 we made our assumptions based on a 150-meter
9 tower.

10 MR. PERRONE: And do you know if that tower can
11 structurally support ETI's loading?

12 THE WITNESS (Jalil): It will.

13 THE WITNESS (Howard): Yeah, I mean it supports the
14 current antenna and systems.

15 MR. PERRONE: But with your antenna centerline at 150
16 meters you would be up at the top of the mast.

17 Is that correct?

18 THE WITNESS (Howard): Correct. We -- we have a way of
19 avoiding any winds. We have structures that are,
20 let's say, have openings so that winds can go
21 through and not affect any movement of the
22 antenna.

23 MR. PERRONE: And -- okay. Moving on to 60 Vale Road,
24 would you have a similar configuration for 60 Vale
25 Road?

1 THE WITNESS (Jalil): So, we -- we would -- we did a
2 little bit of analysis there and it would involve
3 an RF survey to -- to actually see the feasibility
4 of 60 Vale Road. We have to analyze that further,
5 but we -- we for sure know that Carmen Hill Road
6 is a viable option for coverage and potentially
7 Wewaka as well.

8 THE WITNESS (Howard): Yes, the height of the tower and
9 the height of the terrain are perfect over the
10 area that is unserved.

11 That's why previously I had asked if it's not
12 that if the tower is -- the new tower is not
13 needed, would the -- would the Verizon forego
14 replacement of the tower? And it was an
15 unequivocally -- unequivocal no. I don't know
16 why.

17 MR. PERRONE: I understand the full RF survey has not
18 been completed, but just roughly speaking, what
19 would be your minimum requirement of those three
20 tower locations that you think you would need?

21 THE WITNESS (Jalil): We -- we are under the
22 impression, it seems as a first impression, that
23 Vale Road might not clear that first Fresnel zone,
24 which would affect dramatically the coverage to
25 that unserved area.

1 We -- we are confident from -- from research
2 that we've done that Carmen Hill Road clears that
3 first Fresnel zone using narrow beams.

4 MR. PERRONE: And lastly, one other question on the
5 structural. I know we talked about Carmen Hill.
6 Do you know if Vale Road or Wewaka could
7 structurally support your equipment?

8 THE WITNESS (Howard): Yeah, there should be no
9 problem. I mean, they're standard equipment.

10 Why wouldn't they support it?

11 MR. PERRONE: Thank you. That's all I have.

12 THE WITNESS (Howard): Thank you very much.

13 THE VICE CHAIR: Thank you Mr. Perrone.

14 We'll now continue cross-examination by
15 Ms. Hall.

16 MS. HALL: I have no questions. Thank you.

17 THE VICE CHAIR: We'll now continue cross-examination
18 by Mr. Carter.

19 MR. CARTER: Thank you Mr. Vice Chair.

20 I have no further questions.

21 THE VICE CHAIR: Thank you. We'll now continue
22 cross-examination by Dr. Williams.

23 DR. WILLIAMS: Nothing from me. Thank you.

24 THE VICE CHAIR: Thank you. We'll continue
25 cross-examination by Mr. Golembiewski.

1 MR. GOLEMBIEWSKI: I have no questions.

2 Thank you, Mr. Perrone.

3 THE VICE CHAIR: We'll now continue cross-examination
4 by Mr. Nguyen.

5 MR. NGUYEN: I have no questions.

6 THE VICE CHAIR: Thank you. We'll continue
7 cross-examination by Mr. Lynch.

8 MR. LYNCH: No further questions.

9 THE VICE CHAIR: Thank you. And I have no questions.

10 So, at this point we're going to take a
11 ten-minute break and we will return -- well,
12 actually a little longer than that, and we'll come
13 back at 3:40. And we'll continue with
14 cross-examination of ETI by Attorney Baldwin.

15 Thank you, everyone. We'll see you in 14
16 minutes. Thank you.

17

18 (Pause: 3:26 p.m. to 3:40 p.m.)

19

20 THE VICE CHAIR: Is the Court Reporter back with us?

21 THE REPORTER: I am, and we are back on the record.

22 THE VICE CHAIR: Thank you. And we're back on the
23 record. Very good. We'll continue with
24 cross-examination of ETI by the Applicants.

25 Attorney Baldwin?

1 ATTORNEY BALDWIN: Thank you, Vice Chair Morissette.

2 Just one question. Has your technology, the
3 ETI technology, is it currently in use by any
4 commercial wireless carrier in the United States?

5 THE WITNESS (Howard): With two, not the commercial
6 carriers, but we are in discussions at this moment
7 with all -- with the three carriers for a
8 deployment in Pennsylvania.

9 ATTORNEY BALDWIN: Thank you.

10 I have no further questions, Vice Chair
11 Morissette. Thank you.

12 THE VICE CHAIR: Thank you, Attorney Baldwin.

13 We'll continue the cross-examination of ETI
14 by Lake Lillinonah Shores Condo Association Number
15 One and Number Two. Richard Baldelli, please.

16 RICHARD BALDELLI: We have no questions.

17 THE VICE CHAIR: Thank you. We'll continue
18 cross-examination by First Selectman Dunn -- which
19 is not here.

20 We'll continue with cross-examination of ETI
21 by Paska Nayden. Paska Nayden, please.

22 PASKA NAYDEN: Thank you so much.

23 I just have maybe two questions. One, there
24 are two Carmen Hill towers. One is a guy -- what
25 did I write down? And another is a lattice.

1 What are we talking about for Carmen Hill?
2 Which one of them? There are two that I saw in
3 the database -- or maybe I'm wrong.

4 Is there just one, or are there two?

5 THE WITNESS (Jalil): So, the one that we -- that we
6 saw is -- I have the address there. It's about
7 4.2 kilometers from the unserved area.

8 PASKA NAYDEN: Okay. But with the other issue, when I
9 did the site analysis -- okay? And I mapped
10 out -- my systems engineering kind of comes out
11 every once in a while, and I plotted out all the
12 towers in the vicinity of Brookfield that we're
13 talking about.

14 And the line of sight, basically transmission
15 paths pass through those existing paths, like it
16 was explained earlier, the 90 degree antennas and
17 the full spectrum. But, you know, that to me
18 suggests if there's a line of sight there that's
19 touching on these areas that allegedly have no
20 service, that suggests that there's a lack -- it's
21 not a lack of infrastructure. It's terrain
22 vegetation potentially prohibiting some of these
23 line-of-sight antennas to function properly.

24 What does your solution offer for that
25 situation? I'm just curious.

1 THE WITNESS (Howard): Yes, the solution is a more than
2 one-beam antenna that will have different angles
3 to specifically hit certain areas. And we have
4 applied this in a solution with three-beam
5 vertical antenna and a four-beam horizontal
6 antenna, one antenna doing it all. So --

7 PASKA NAYDEN: Okay.

8 THE WITNESS (Howard): But -- sorry. I'm sorry.

9 PASKA NAYDEN: All right. That's very interesting.

10 THE WITNESS (Jalil): I just want to -- I want to add.
11 I want to add to your comment, Ms. Nayden.

12 PASKA NAYDEN: Sure.

13 THE WITNESS (Jalil): You mentioned a 90-degree
14 antenna. So, your beam is covering a horizontal
15 coverage or an azimuth coverage of 90 degrees.
16 What does that mean? That means you're getting
17 interference from 90 degrees of coverage.

18 If you narrow your beams --

19 PASKA NAYDEN: Uh-huh?

20 THE WITNESS (Jalil): -- and you have multiple narrow
21 beams, you now have dedicated beams to certain
22 areas without getting interference from the other
23 coverage area. So, if you look at 90 and you take
24 a 15-degree beam, you have those other 75 degrees
25 that are not interfering with that beam.

1 A 90-degree beam is getting interference from
2 all those 90-degree directions. So --

3 THE WITNESS (Howard): What he's saying is that if you
4 have 16 at 15 degrees, any noise or interference
5 comes only from the 15 degrees. The other 75
6 degrees do not contribute to any signal-to-noise
7 ratio problem.

8 PASKA NAYDEN: So, those people should be getting
9 better cellular service. Right? So --

10 THE WITNESS (Howard): Absolutely.

11 PASKA NAYDEN: Okay. Thank you for that. That's it.

12 THE WITNESS (Howard): Thank you.

13 THE VICE CHAIR: Thank you, Ms. Nayden.

14 We'll now continue cross-examination of ETI
15 by Elaine Simoes. Elaine?

16 ELAINE SIMOES: Yes, I have one question. Could they
17 elaborate on the survey and line test that they
18 mentioned?

19 THE WITNESS (Howard): We mentioned?

20 THE WITNESS (Jalil): No. Well, we haven't done any
21 actual survey.

22 THE WITNESS (Howard): Yeah, we -- we haven't done any
23 actual survey. We -- we don't only depend on
24 software. We do take drives with our spectrum
25 analyzers and examine the area thoroughly before

1 we establish any connections on the tower. So, we
2 will go -- as I said, we will have equipment with
3 which we will measure. Of course, you do have
4 your phone. That's a kind of equipment. If you
5 cannot connect, obviously you don't have service.

6 But we would go with a scientific instrument,
7 a spectrum analyzer, and check the area for
8 where -- where you receive a signal and where you
9 don't.

10 Because you are a forested area, it's
11 important that there is some connection for
12 emergencies in the forested area. There was a
13 death a couple of years ago in New Jersey because
14 a young lady was injured and died due to
15 hemorrhaging because she could not connect with
16 her cell phone. So, we want to cover the whole
17 area if possible, or at least the unserved area
18 that you have there.

19 But it would be, let's say, our thinking is
20 to cover the whole area because you have forested
21 areas; you have areas where people may be injured
22 and not be able to connect. So, we do that for
23 people, like your Siting Council is doing it for
24 people.

25 ELAINE SIMOES: So, basically you're stating you would

1 drive through Barkwood Falls community and the
2 other communities that they claim gaps?

3 THE WITNESS (Howard): Absolutely.

4 ELAINE SIMOES: Almost like a drive test?

5 THE WITNESS (Howard): Yes. So that, that's why we
6 need three days to go through the area and do our
7 measurements and come to the conclusions, to
8 finalize our conclusions.

9 We don't only depend on our software.

10 ELAINE SIMOES: So, better to do a drive test than just
11 depend on your software?

12 THE WITNESS (Howard): We do -- we do not just depend
13 on our software, because if you can't -- if -- if
14 you cannot connect, I cannot blame the software.
15 I cannot say, but the software said it should
16 work.

17 The object is for the unserved areas to be
18 served. That's the ultimate goal, whatever the
19 software states.

20 ELAINE SIMOES: Thank you for your answer. That is it.

21 I have no further questions.

22 THE VICE CHAIR: Very good. Thank you.

23 And thank you, gentlemen.

24 THE WITNESS (Howard): Thank you.

25 THE VICE CHAIR: Okay. Next on the agenda is the

1 appearance of Lake Lillintonah Shores Condo
2 Association Number Two. Mr. Baldelli has
3 testified that he's representing association
4 number two. So therefore, he has already
5 testified. I am proposing that we incorporate his
6 previous testimony as being related to association
7 number two.

8 Attorney Bachman, is there anything that
9 needs to be done to make that happen?

10 ATTORNEY BACHMAN: Thank you, Vice Chair Morissette.

11 I would just ask each of the parties and
12 interveners if they would have any objection to
13 incorporating that testimony by reference.

14 Thank you.

15 THE VICE CHAIR: Thank you, Attorney Bachman.

16 Attorney Baldwin, is there any objection?

17 ATTORNEY BALDWIN: No, Vice Chair Morissette.

18 No objection.

19 THE VICE CHAIR: Thank you.

20 John Howard and Steve Jalil, any objection?

21 STEVE JALIL: No objection.

22 THE VICE CHAIR: Thank you.

23 Richard Baldelli, is there any objection from
24 association number one?

25 RICHARD BALDELLI: No, there's no objection.

1 THE VICE CHAIR: Okay. First Selectman Dunn, not here.

2 Paska Nayden, any objection?

3 PASKA NAYDEN: No objection.

4 THE VICE CHAIR: Thank you.

5 Elaine Simoes, any objection?

6 ELAINE SIMOES: No objection.

7 THE VICE CHAIR: Very good. And with that, we will
8 combine the testimony of Lake Lillinonah Shores
9 Condo Association Number One with Lake Lillinonah
10 Shores Condo Association Number two. Thank you.

11 We'll now move on. We will move on to the
12 appearance by Paska Nayden. Will the intervener
13 and CEPA intervener present its witness panel for
14 purposes of taking the oath? Attorney Bachman
15 will administer the oath. Paska Nayden?

16 PASKA NAYDEN: Yes, I'm here.

17 THE VICE CHAIR: Thank you. Attorney Bachman?

18 ATTORNEY BACHMAN: Thank you, Vice Chair Morissette.

19 Ms. Nayden, can you just tell me that you
20 have your right hand raised, please?

21 PASKA NAYDEN: I have my right hand raised, yes.

22 ATTORNEY BACHMAN: Thank you.

23

24

25

1 P A S K A N A Y D E N,
2 called as a witness, being sworn by THE
3 EXECUTIVE DIRECTOR, was examined and
4 testified under oath as follows:
5

6 ATTORNEY BACHMAN: Thank you.

7 THE VICE CHAIR: Thank you, Attorney Bachman.

8 We'll now do the verification of Paska
9 Nayden's exhibits. Paska Nayden, have you offered
10 the exhibits listed in the hearing program as
11 Roman numeral 7, B1 and '2 for identification
12 purposes?

13 THE WITNESS (Nayden): I submitted a document that was
14 dated, to the Siting Council, on April 28th.

15 THE VICE CHAIR: You have one -- well, the request for
16 intervener status is the first one on March 25th.

17 THE WITNESS (Nayden): Yeah.

18 THE VICE CHAIR: And then the pre-filed testimony of
19 April 28th.

20 THE WITNESS (Nayden): Correct. That's it.

21 THE VICE CHAIR: Very good. Is there any objection of
22 marking these exhibits for identification purposes
23 only at this time?

24 THE WITNESS (Nayden): No objection.

25 THE VICE CHAIR: Paska Nayden, did you prepare or

1 assist in the preparation of Exhibit 7, B1 and '2?

2 THE WITNESS (Nayden): Yes, I did.

3 THE VICE CHAIR: Do you have any additions,
4 clarifications, deletions, or modifications to
5 those documents?

6 THE WITNESS (Nayden): No, not at this time.

7 THE VICE CHAIR: Are these exhibits true and accurate
8 to the best of your knowledge?

9 THE WITNESS (Nayden): Yes, they are.

10 THE VICE CHAIR: And do you offer these exhibits as
11 your testimony here today?

12 THE WITNESS (Nayden): Yes, I do.

13 THE VICE CHAIR: Thank you.

14 Does any party or intervener object to the
15 admission of Paska Nayden's exhibits?

16 Attorney Baldwin?

17 ATTORNEY BALDWIN: No objection.

18 THE VICE CHAIR: Richard Baldelli on behalf of Lake
19 Lillinonah Shores Condo Associations One and Two?

20 RICHARD BALDELLI: No objection.

21 THE VICE CHAIR: First Selectman Dunn -- which is not
22 here. John Howard and Steve Jalil?

23 JOHN HOWARD: No, no objection.

24 STEVE JALIL: No objection.

25 THE VICE CHAIR: Okay. Elaine Simoes?

1 ELAINE SIMOES: No objection.

2 THE VICE CHAIR: Thank you. The exhibits are hereby
3 admitted.

4 We'll now begin cross-examination of Paska
5 Nayden by the Council, starting with Mr. Perrone.

6 MR. PERRONE: Thank you, Mr. Vice Chair.

7 I just have one question. If the proposed
8 tower is approved, do you have any preference as
9 to the color or finish of the tower? For example,
10 all galvanized gray or a two-tone design, brown up
11 to the treeline and galvanized above?

12 THE WITNESS (Nayden): I don't have an objection. As,
13 of course, we don't want to see this stuff as
14 we're driving around in beautiful, scenic
15 Brookfield, which I've enjoyed for a long time.
16 So, if this is approved, it should be disguised as
17 much as possible. I don't care about color.

18 MR. PERRONE: Thank you. That's all I have.

19 THE VICE CHAIR: We'll now continue with
20 cross-examination by Ms. Hall.

21 MS. HALL: No questions. Thank you.

22 THE VICE CHAIR: Thank you. Mr. Carter?

23 MR. CARTER: Thank you. I have no questions.

24 THE VICE CHAIR: Dr. Williams?

25 DR. WILLIAMS: Nothing from me.

1 THE VICE CHAIR: Mr. Golembiewski?

2 MR. GOLEMBIEWSKI: I have no questions. Thank you.

3 THE VICE CHAIR: Mr. Nguyen?

4 Mr. Nguyen?

5

6 (No response.)

7

8 THE VICE CHAIR: Mr. Lynch?

9 MR. LYNCH: No questions.

10 THE VICE CHAIR: Thank you. And I have no questions.

11 We'll now continue with cross-examination of

12 Paska Nayden by the Applicant, Attorney Baldwin.

13 ATTORNEY BALDWIN: We have no questions, Vice Chair

14 Morissette. Thank you.

15 THE VICE CHAIR: Thank you. We'll continue with

16 cross-examination of Paska Nayden by Lake

17 Lillinonah Shores Condo Associations One and Two.

18 RICHARD BALDELLI: No questions.

19 THE VICE CHAIR: Very good. Thank you.

20 First Selectman Dunn is not here.

21 We'll continue with cross-examination of

22 Paska Nayden Dean by John Howard or Steve Jalil.

23 STEVE JALIL: No questions.

24 JOHN HOWARD: No questions.

25 THE VICE CHAIR: Thank you. We'll continue with

1 cross-examination of Paska Nayden by Elaine
2 Simoes. Elaine?

3 ELAINE SIMOES: No questions.

4 THE VICE CHAIR: Thank you. We'll now move on to the
5 appearance of Elaine Simoes. Will the intervener
6 and CEPA intervener present its witness panel for
7 purposes of taking of the oath? Attorney Bachman
8 will administer the oath to Elaine Simoes.

9 Attorney Bachman, please administer the oath.

10 ATTORNEY BACHMAN: Thank you, Vice Chair Morissette.

11 Ms. Simoes, could you please state for the
12 record that you have your right hand raised?

13 ELAINE SIMOES: I have my right hand raised.

14 ATTORNEY BACHMAN: Thank you.

15 E L A I N E S I M O E S ,

16 called as a witness, being sworn by THE
17 EXECUTIVE DIRECTOR, was examined and
18 testified under oath as follows:

19

20 ATTORNEY BACHMAN: Thank you.

21 THE VICE CHAIR: Thank you, Attorney Bachman.

22 Elaine Simoes, you have offered the exhibits
23 listed under the hearing program as Roman numeral
24 8, B1 and '2 for identification purposes. Is
25 there objection to marking the exhibits for

1 identification purposes only at this time?

2 THE WITNESS (Simoes): No objection.

3 THE VICE CHAIR: Thank you.

4 Elaine Simoes, did you prepare and assist in
5 the preparation of Exhibits 8, B1 and '2?

6 THE WITNESS (Simoes): I did.

7 THE VICE CHAIR: Do you have any additions,
8 clarifications, deletions or modifications to
9 these documents?

10 THE WITNESS (Simoes): Not at this time.

11 THE VICE CHAIR: Are these exhibits true and accurate
12 to the best of your knowledge?

13 THE WITNESS (Simoes): They are.

14 THE VICE CHAIR: And do you offer these exhibits as
15 your testimony here today?

16 THE WITNESS (Simoes): I do.

17 THE VICE CHAIR: Does any party or intervener object to
18 the admission of Elaine Simoes' exhibits?

19 Attorney Baldwin?

20 ATTORNEY BALDWIN: No objection.

21 THE VICE CHAIR: Richard Baldelli?

22 RICHARD BALDELLI: No objections.

23 THE VICE CHAIR: First Selectman Dunn is not here.

24 John Howard, Steve Jalil?

25 STEVE JALIL: No objections.

1 JOHN HOWARD: No objections.

2 THE VICE CHAIR: Thank you. Paska Nayden?

3 PASKA NAYDEN: No objection.

4 THE VICE CHAIR: Thank you. The exhibits are hereby
5 admitted.

6 We'll now begin cross-examination of Elaine
7 Simoes by the Council, starting with Mr. Perrone.

8 MR. PERRONE: Thank you, Mr. Vice Chair.

9 One question. If the proposed tower is
10 approved, do you have any preference as to the
11 color or finish of the tower? For example, all
12 galvanized gray or a two-tone design, brown up to
13 the treeline and galvanized gray above that?

14 THE WITNESS (Simoes): My request is the same as
15 Ms. Nayden, to be disguised as much as possible
16 because the tower will be in full view of our
17 beach and our docks, and that includes a hundred
18 families in our community.

19 MR. PERRONE: Thank you. That's all I have.

20 THE VICE CHAIR: Thank you, Mr. Perrone.

21 We'll now continue the cross-examination by
22 Ms. Hall.

23 MS. HALL: I have no questions. Thank you.

24 THE VICE CHAIR: Thank you. Mr. Carter?

25 MR. CARTER: Thank you, Mr. Vice Chair.

1 I have no questions.

2 THE VICE CHAIR: Dr. Williams?

3 DR. WILLIAMS: No questions.

4 THE VICE CHAIR: Mr. Golembiewski?

5 MR. GOLEMBIEWSKI: I have no questions. Thank you.

6 THE VICE CHAIR: Thank you. Mr. Nguyen?

7

8 (No response.)

9

10 THE VICE CHAIR: Mr. Lynch?

11 MR. LYNCH: No questions.

12 THE VICE CHAIR: Thank you. And I have no questions.

13 We'll now continue the cross-examination of

14 Ellen Simoes by the Applicant. Attorney Baldwin?

15 ATTORNEY BALDWIN: No questions, Vice Chair Morissette.

16 Thank you.

17 THE VICE CHAIR: Thank you. We'll continue the

18 cross-examination of Elaine Simoes by Lake

19 Lillinonah Shores Condo Associations One and Two.

20 Richard Baldelli, any questions?

21 RICHARD BALDELLI: No questions.

22 THE VICE CHAIR: Thank you.

23 No cross-examination by First Selectman Dunn.

24 We'll now continue the cross-examination by

25 Electromagnetic Technologies Industries. John

1 Howard or Steve Jalil?

2 STEVE JALIL: No, no questions.

3 JOHN HOWARD: No questions.

4 THE VICE CHAIR: Thank you. We'll continue the
5 cross-examination of Elaine Simoes by Paska
6 Nayden. Paska Nayden, please.

7 PASKA NAYDEN: Can I just ask one question, please?

8 THE VICE CHAIR: Certainly.

9 PASKA NAYDEN: Ms. Simoes, what prompted you to be an
10 intervener? Is that allowed for me to ask?
11 Because most people in a community don't take this
12 time to get educated and informed and do an
13 amazing amount of research, as Ms. Simoes has
14 done.

15 So, I was just kind of curious what prompted
16 her.

17 THE VICE CHAIR: Well, it's outside of the scope, but
18 I'll let her respond.

19 PASKA NAYDEN: Thank you.

20 THE WITNESS (Simoes): I have been in this community
21 over 20 years and enjoying our lake and our cove,
22 and have seen people even come up to me this past
23 weekend at the beach to say that they didn't have
24 service on Cove Road, but now they do, and they
25 don't understand why there's a tower going up.

1 We've had people in our community that are
2 involved in the Town and the people in the Town
3 have told them that they cannot represent
4 themselves associated with the tower and not
5 meeting the tower and not wanting the tower. So,
6 there are many people in our community that want
7 to speak out, but because of political reasons
8 they haven't been able to.

9 I spoke to Friends of the Lake. Again, they
10 felt politically they could not speak out even
11 though they were against the tower. It's a whole
12 conglomerate of people associated with Lake
13 Lillinonah. All five towns are part of Friends of
14 the Lake.

15 I spoke to First Light, who you can't move a
16 rock under the 220 line, and they had no comment
17 on the cell tower even though it will impact the
18 eagles and other environmental parts and be an
19 eyesore, but they could not speak out because of
20 political reasons. So, there are hundreds of
21 people wanting to speak out, but no one could step
22 up.

23 And since I've been watching cell towers pop
24 up all over Brookfield, I felt it was my duty to
25 speak up at least for my hundred-home community,

1 if not for others. Thank you.

2 PASKA NAYDEN: Thank you, Elaine.

3 No further questions.

4 THE VICE CHAIR: Thank you. And thank you, everyone.

5 Before closing the evidentiary record in this
6 matter, the Connecticut Siting Council announces
7 that briefs and proposed findings of fact may be
8 filed with the Council by any party or intervener
9 no later than June 18, 2026.

10 ATTORNEY BACHMAN: Excuse me, Vice Chair Morissette?

11 THE VICE CHAIR: Yes?

12 ATTORNEY BACHMAN: Before we close the evidentiary
13 record, perhaps we can address the Town's exhibits
14 that were not subject to cross-examination, and
15 possibly transfer them to the municipal comment
16 section of the evidentiary record?

17 THE VICE CHAIR: Yes. Attorney Baldwin?

18 ATTORNEY BALDWIN: That sounds like the right thing to
19 do. Thank you, Vice Chair Morissette.

20 THE VICE CHAIR: Thank you. Therefore, the First
21 Selectman's exhibits will be transferred.

22 Thank you, Attorney Bachman. Anything else?

23 ATTORNEY BACHMAN: That takes care of it.

24 Thank you very much, Vice Chair Morissette.

25 THE VICE CHAIR: Thank you. Thank you for the

1 reminder.

2 Okay. Before closing the evidentiary record
3 in this matter, the Connecticut Siting Council
4 announces that briefs and proposed findings of
5 fact may be filed with the Council by any party or
6 intervener no later than June 18, 2026. The
7 submission of briefs or proposed findings of fact
8 are not required by this Council. Rather, we
9 leave it to the choice of the parties and
10 interveners.

11 Anyone who has not become a party or
12 intervener but who desires to make his or her
13 views known to the Council may file written
14 statements with the Council within 30 days of the
15 date hereof.

16 The Council will issue draft findings of fact
17 and thereafter parties and interveners may
18 identify errors and inconsistencies between the
19 Council's draft finding of fact and the record.
20 However, no new information, no new evidence, no
21 arguments, and no reply briefs without permission
22 will be considered by the Council.

23 Copies of the transcript of this hearing will
24 be deposited in the Brookfield Town Clerk's
25 office.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATE

I hereby certify that the foregoing 94 pages are a complete and accurate computer-aided transcription of my original verbatim notes taken of the REMOTE TELECONFERENCE MEETING of THE CONNECTICUT SITING COUNCIL CONTINUED EVIDENTIARY HEARING In Re: DOCKET NO. 548, HOMELAND TOWERS, LLC, AND CELLCO PARTNERSHIP D/B/A VERIZON WIRELESS APPLICATION FOR A CERTIFICATE OF ENVIRONMENTAL COMPATIBILITY AND PUBLIC NEED FOR THE CONSTRUCTION, MAINTENANCE, AND OPERATION OF A TELECOMMUNICATIONS FACILITY AND ASSOCIATED EQUIPMENT LOCATED AT 38 AND 42A HIDDEN BROOK DRIVE, BROOKFIELD, CONNECTICUT, which was held before JOHN MORISSETTE, THE VICE CHAIR, on May 19, 2026.



Robert G. Dixon, CVR-M 857
Notary Public
My Commission Expires: 6/30/2030

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX

WITNESSES	PAGE
Ray Vergati	
Martin Lavin	
David Weinpahl	
Martin Brogie	
Sean Hosek	
Matthew Allen -----	6
(EXAMINATION)	
By Attorney Baldwin -----	6
By Mr. Perrone -----	10
By Richard Baldelli -----	22
By Steve Jalil -----	32
By John Howard -----	34
By Paska Nayden -----	36
By Elaine Simoes -----	42
WITNESSES	PAGE
Richard Baldelli -----	56
(EXAMINATION)	
By The Vice Chair (Morissette) -----	56
By Mr. Perrone -----	58
By Paska Nayden -----	63
WITNESSES	PAGE
John Howard	
Steve Jalil -----	64
(EXAMINATION)	
By The Vice Chair (Morissette) -----	64
By Mr. Perrone -----	66
By Attorney Baldwin -----	74
By Paska Nayden -----	74
By Elaine Simoes -----	77
WITNESSES	PAGE
Paska Nayden -----	82
(EXAMINATION)	
By The Vice Chair (Morissette) -----	82
By Mr. Perrone -----	84

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX (cont'd)

WITNESSES	PAGE
Elaine Simoes -----	86
(EXAMINATION)	
By The Vice Chair (Morissette) -----	86
By Mr. Perrone -----	88
By Paska Nayden -----	90

&	83:1 86:24	350 54:25	6
& 3:3,10	87:5	35318 95:19	6 6:3 51:10
0	2,048 68:23	36 96:9	96:5,6
06103-3597 3:5	2.0. 42:12	37 17:14 21:2	6/30/2030
1	20 10:18 12:3	3700 37:8,14	95:21
1	24:19 58:22	38:6	60 66:20 70:23
1 3:10 14:4	90:21	38 1:11 5:8	70:24 71:4
39:5 58:19	2026 1:15 4:5	58:16 95:14	600 23:22
59:6,20	4:24 5:17	39 66:21	27:10,25 28:4
10 5:13,17	51:20 92:9	3:26 73:18	28:7
27:11 96:7	93:6 95:17	3:30 5:14	63 96:14
11 69:25	21 58:22	3:40 73:13,18	64 96:16,18
133 46:3 54:12	22 96:8	4	65 39:14
139 69:24	220 91:16	4 64:16,17,24	66 96:19
14 39:1 45:14	230 12:20	64:24	660 48:5 49:1
53:2,9 54:7	24:16	4.2 75:7	7
73:15	24 13:10 17:14	40 11:5 58:20	7 5:22 6:3
15 5:13 33:4,21	25th 82:16	42 96:10	14:22 51:10,20
33:24 39:1	280 3:4	42a 1:11 5:8	82:11 83:1
76:24 77:4,5	28th 82:14,19	95:14	700 33:5,6,16
150 69:16,22	2:00 4:1	4:01 94:4	33:24 35:20
70:6,8,15	2b 6:3	4g 45:18	37:13 38:8,14
1500 14:10	3	5	74 96:19,20
15th 31:24	3 24:15 33:17	50 23:18	75 76:24 77:5
16 4:25 77:4	33:19,23 56:22	548 1:5 9:15	77 96:20
18 92:9 93:6	57:4	10:3 95:8	7th 6:6,8 24:22
19 1:15 4:5	30 14:7,20	55 15:12	24:22 49:6
10:18 95:16	93:14	56 96:11,13	51:7
195 54:25	300 21:8	58 96:14	8
2	30th 31:24	5g 37:8 45:18	8 56:22 57:4
2 1:16 3:10 4:6	32 96:8	5gs 39:2	86:24 87:5
5:21 58:19	34 59:18 96:9		
62:16 82:11			

<p>82 96:22,23 84 96:24 857 95:20 86 97:2,4 860.275.8200 3:8 88 97:5</p>	<p>23:3,3 24:7 25:12 50:7,7 50:10 58:24 59:7 61:22</p>	<p>additions 57:6 65:2 83:3 87:7 address 26:22 75:6 92:13 addressed 29:6 adjacent 22:11 adjourned 94:1 administer 55:12 56:10 63:24 64:3 81:15 86:8,9 administrative 2:24 4:21 5:2 admission 8:25 57:18 65:15 83:15 87:18 admitted 10:11 47:12 58:9 66:2 84:3 88:5 adopt 8:9 adversely 30:9 affect 11:14 13:25 30:8,9 38:8 70:21 71:24 afternoon 4:3 5:23,24 9:7 10:15,16 18:1 18:2,9,10,18,19 18:24,25 19:5 19:6,12,13,23 19:24 32:15,17 32:18,19 36:1 36:2 41:8 42:4</p>	<p>42:5 62:1 afternoon's 6:2 agenda 4:11 63:17 79:25 agnostic 67:10 ago 78:13 agree 24:6 60:9 agreed 11:1,6,8 59:1 agreement 20:18 ahead 34:19 40:22 aided 95:4 aids 55:16 air 11:15 alex 55:13,23 55:23 allegedly 75:19 allen 53:10,10 96:5 allow 47:11 allowed 90:10 allowing 42:5 alternate 11:12 11:22 12:1,10 12:13 18:4 alternative 10:20 11:14 12:5 13:1 14:9 14:16 15:21,23 16:9 17:4,7 19:17 58:24 61:22 67:13</p>
9	<p>accommodate 13:13,18 27:13 28:19</p>		
<p>9 4:24 24:15 90 28:1,4,11 42:25 75:16 76:13,15,17,23 77:1,2 97:5 94 95:3</p>	<p>accordance 5:16</p>		
a	<p>accumulation 28:23</p>		
<p>abbreviated 6:19 ability 68:23 able 27:13 43:4 54:13 63:19 78:22 91:8 above 24:19 84:11 88:13 absolutely 55:21 77:10 79:3 access 4:14 10:20 11:13,22 12:5,10,11,14 12:18 13:1,9 14:8,13,15,21 15:1,14,21,23 16:9,10,11</p>	<p>accuracy 46:10 accurate 7:23 28:3 42:19 57:10 65:8 83:7 87:11 95:4</p>		
	<p>acre 21:7 acres 21:8 58:15,20 act 5:2 actual 9:15 10:2 20:23 44:19 68:11 69:1 77:21,23 actually 12:1 71:3 73:12 add 69:4 76:10 76:11 addition 20:25 38:11 70:3 additional 6:1 19:7 39:12 51:9 60:9,17</p>		

altitude 70:3	antennas 13:12	applications 68:17,20 71:10
amazing 90:13	13:14,21,23,25	71:25 75:7
amendments	17:1,2,6,9,12	76:23 77:25
7:11	39:2,7,21	78:7,10,12,17
amount 45:3	42:20 43:5,8	78:17,20 79:6
90:13	54:11,13 69:10	areas 15:20
analysis 19:17	75:16,23	22:21 37:24
39:3,14 53:13	anticipating	42:22 43:11,16
67:16 71:2	31:12	44:11 75:19
75:9	anybody 37:16	76:3,22 78:21
analyst 2:21	apologize 17:20	78:21 79:17
4:21	36:8 41:4	arguments
analyze 71:4	appearance	34:16 93:21
analyzer 78:7	5:15,18 55:8	arrived 11:1
analyzers	63:17,20 80:1	asked 18:3
77:25	81:12 86:5	26:12 47:20
angle 28:13	appears 24:17	49:4 71:11
angles 76:2	applicant 3:2	asking 22:7
announces 92:6	10:13 17:24	31:15 36:16
93:4	19:20 21:24	41:17,18
answer 17:18	36:18,22 52:4	assess 70:7
26:25 79:20	61:17 85:12	assessment
answered	89:14	31:10,16
26:12 49:4	applicant's	assist 6:22 57:3
51:22,24 52:1	8:25 26:15	64:23 83:1
52:23	applicants 5:16	87:4
antenna 16:22	5:19 32:13	associated 1:10
16:25 32:25	35:7,25 42:3	5:7 26:19
33:3,8,13,15,21	73:24	34:16 48:25
33:24 34:22	application 1:7	51:14 52:5
35:20 54:23	5:2 20:4 30:7	91:4,12 95:13
69:6 70:14,15	30:15,20,23	associates
70:22 76:2,5,6	36:19 38:5	53:11
76:6,14	40:18 95:10	
		applications 66:14
		applied 76:4
		appreciate 36:3
		41:14
		approach 18:4
		appropriate
		36:14
		approval 54:18
		63:5
		approved
		16:11,22 17:11
		31:25 32:24
		33:1,1,6 54:9
		59:19 84:8,16
		88:10
		approximate
		12:9
		approximately
		12:17,20 14:10
		24:16 58:20
		april 4:24 5:17
		31:23 82:14,19
		archaeological
		49:24,25
		area 12:15
		28:14 38:4
		43:16 45:20
		46:12 48:21
		49:1,24 50:5
		53:6,15,16,20
		53:24 54:17,21
		58:19 66:25
		67:21,23 68:15

<p>association 3:10 6:5 10:21 10:25 11:1 19:22 55:9 57:19 58:11 61:21 62:3,13 63:6 74:14 80:2,3,6,24 81:9,10 association's 63:5 associations 9:2 62:17 83:19 85:17 89:19 assumptions 70:8 attachment 30:19,20,24 68:4,8 attend 63:19 attorney 2:18 5:23,24 6:19 7:2,4,6,8,10,14 7:16,18,20,22 8:1,3,5,7,9,13 8:15,17,19,21 8:23 9:23,24 9:25 14:14,19 17:17 20:7 22:15 26:8,17 30:20,23 31:2 34:1,24 36:17 37:2 40:1,9,11</p>	<p>40:13,15,17 46:17,20 47:3 47:7 49:3 50:23 51:5 55:11 56:9,11 56:19 57:20,21 59:2 61:17,18 61:23 63:23 64:2,4,12 65:15,16,17 73:14,25 74:1 74:9,12 80:8 80:10,15,16,17 81:14,17,18,22 82:6,7 83:16 83:17 85:12,13 86:7,9,10,14,20 86:21 87:19,20 89:14,15 92:10 92:12,17,18,22 92:23 96:6,19 audio 4:9 audubon 49:19 available 4:11 45:17 54:21 avoiding 70:19 awaiting 13:4 awarded 66:17 aware 13:4 48:13 awful 45:21 aws 38:7 azimuth 76:15</p>	<p>azimuths 43:8 b b 1:6,18 5:4,20 6:12,24 56:14 95:9 b1 56:22 57:4 64:16,24 82:11 83:1 86:24 87:5 b6 5:21 bachman 2:17 4:20 9:23,24 9:25 55:11 56:9,11,19 63:24 64:2,4 64:12 80:8,10 80:15 81:14,17 81:18,22 82:6 82:7 86:7,9,10 86:14,20,21 92:10,12,22,23 back 52:10 73:13,20,21,22 backed 23:9 bald 48:16 baldelli 3:12 9:1,3,4 19:22 19:23,24 20:2 20:6,14 21:19 21:20,25 22:3 22:6,15 23:13 23:15 24:12 25:22 26:18</p>	<p>27:6,8,24 28:18 29:11,17 30:5,21,22,25 31:3 32:3,7,11 55:13,15,21,22 55:24 56:2,6,8 56:13,20,25 57:1,3,5,9,12 57:16 58:3,18 58:25 59:8,11 59:24 60:13 62:15,19,23 63:7,11 65:17 65:18,19,20 74:15,16 80:2 80:23,25 83:18 83:20 85:18 87:21,22 89:20 89:21 96:8,11 baldwin 3:6 5:23,24 6:19 7:2,4,6,8,10,14 7:16,18,20,22 8:1,3,5,7,9,13 8:15,17,19,21 8:23 14:14,19 17:17 20:7 22:15 26:8,17 30:20,23 31:2 34:1,24 36:17 37:2 40:1,9,11 40:13,15,17 46:17,20 47:3 47:7 49:3</p>
---	--	---	--

<p>50:23 51:5 57:20,21 61:17 61:18,23 65:15 65:16 73:14,25 74:1,9,12 80:16,17 83:16 83:17 85:12,13 87:19,20 89:14 89:15 92:17,18 96:6,19</p> <p>baldwin's 34:12</p> <p>band 38:7,13</p> <p>bandwidth 54:8</p> <p>barkwood 45:25 50:13,19 51:14,17 79:1</p> <p>bars 43:12,14 43:21,23 44:8 44:12,18</p> <p>base 14:5 28:11</p> <p>based 21:2 24:14 36:5 37:6,21 42:16 53:13 69:9,9 70:8</p> <p>basically 43:25 50:20 75:14 78:25</p> <p>basin 26:4</p> <p>bat 30:9,11</p> <p>beach 53:5,6 88:17 90:23</p>	<p>beam 68:14 76:2,4,5,14,24 76:25 77:1</p> <p>beams 68:19,21 68:24 69:3 72:3 76:18,21 76:21</p> <p>bear 31:5</p> <p>beautiful 84:14</p> <p>becoming 29:1</p> <p>bedroom 39:16 39:24</p> <p>beginning 1:15</p> <p>behalf 9:1 61:19 62:15 83:18</p> <p>believe 9:23,24 12:23 14:22 15:9 17:4 19:10 22:19 24:2 37:12 42:24 46:16 59:4,13 60:7 69:22</p> <p>believes 25:23</p> <p>best 7:23 57:11 57:12 65:8 83:8 87:12</p> <p>better 77:9 79:10</p> <p>beyond 48:10 52:7</p> <p>big 28:12</p>	<p>bins 45:11</p> <p>biological 30:14 31:10,16</p> <p>bit 60:11 71:2</p> <p>blame 79:14</p> <p>block 24:20</p> <p>blocked 29:1</p> <p>board 21:1</p> <p>boards 63:8</p> <p>bobcats 31:5</p> <p>boehringer 21:8</p> <p>bond 26:7,22 26:23 27:2</p> <p>boris 58:2 62:14</p> <p>bottom 26:4 27:25 28:5 59:22</p> <p>bounds 41:12</p> <p>break 5:14 73:11</p> <p>breaks 23:20</p> <p>brian 2:5</p> <p>bridgewater 13:20 53:8 54:12</p> <p>brief 34:15 35:13</p> <p>briefly 27:8</p> <p>briefs 92:7 93:4 93:7,21</p> <p>broadband 44:25,25 45:1</p>	<p>45:2</p> <p>brogie 7:8,9,20 7:21 8:7,8,19 8:20 52:14,14 52:14 96:4</p> <p>brook 1:11 5:8 24:17 44:11 53:7 58:17 95:14</p> <p>brookfield 1:12 5:9,11 13:22 32:14 38:21,25 63:18 75:12 84:15 91:24 93:24 95:15</p> <p>brought 15:20</p> <p>brown 59:22 84:10 88:12</p> <p>brownish 60:1</p> <p>building 43:17 54:9</p> <p>business 9:18</p> <p>bylaws 11:10 59:3 63:7</p> <hr/> <p style="text-align: center;">c</p> <hr/> <p>c 2:1 3:1,6 24:15,15 56:14</p> <p>calculations 23:9,10 27:20</p> <p>calibrate 42:13</p> <p>called 4:5 56:15 64:8 68:16 82:2 86:16</p>
---	---	--	--

<p>camouflage 60:10</p> <p>candlewood 51:15</p> <p>capacity 43:2 66:22</p> <p>care 84:17 92:23</p> <p>carefully 33:13</p> <p>caribbean 66:8</p> <p>caricature 69:5</p> <p>carmen 17:14 33:18,19,23 34:22 66:21,23 68:4,10 69:7 69:20,21 70:6 71:5 72:2,5 74:24 75:1</p> <p>carrier 46:3 74:4</p> <p>carriers 67:6 74:6,7</p> <p>carter 2:11 4:18 17:25 18:8,9,10,15 60:16,19,20,21 72:18,19 84:22 84:23 88:24,25</p> <p>case 33:22 42:23</p> <p>cash 26:7</p> <p>catchment 28:13</p>	<p>cell 20:23 30:7 38:15 43:4 48:6 50:3 78:16 91:17,23</p> <p>cellco 1:6 5:3 5:19 16:21,23 95:9</p> <p>cellco's 17:15</p> <p>cellular 77:9</p> <p>centered 68:6</p> <p>centerline 70:15</p> <p>cepa 3:19,22 81:13 86:6</p> <p>certain 42:21 68:15 76:3,21</p> <p>certainly 22:23 37:5 90:8</p> <p>certificate 1:7 5:4 95:1,10</p> <p>certify 95:3</p> <p>chair 1:19 2:3 4:3,6 5:24 8:21 8:23 9:5,11,21 9:25 10:5,8,10 10:16 17:22 18:6,10,15,21 19:2,8,15 20:1 20:7,10 21:19 21:21 22:2,5 22:17 23:14 26:8,17 27:7 32:11 34:1,11 35:6,11,15,17</p>	<p>35:23 36:17 37:2,5 40:1,15 40:22 41:1,5,7 41:10,16,21,24 42:1 46:17 47:7,10 49:3,9 50:23 51:3,5 51:20,24 52:1 52:10,24 55:7 55:19,22 56:1 56:3,7,9,11,20 57:2,6,10,14,17 57:23 58:2,4,6 58:8,14 60:14 60:18,21,23 61:2,8,12,15,18 61:23 62:7,11 62:18,21,25 63:13,16 64:2 64:4,13,22 65:2,7,10,14,17 65:21,25 66:2 66:5 72:13,17 72:19,21,24 73:3,6,9,20,22 74:1,10,12,17 77:13 79:22,25 80:10,15,17,19 80:22 81:1,4,7 81:17,18 82:7 82:15,18,21,25 83:3,7,10,13,18 83:21,25 84:2 84:6,19,22,24</p>	<p>85:1,3,8,10,13 85:15,19,25 86:4,10,21 87:3,7,11,14,17 87:21,23 88:2 88:4,8,20,24,25 89:2,4,6,10,12 89:15,17,22 90:4,8,17 92:4 92:10,11,17,19 92:20,24,25 95:16 96:13,18 96:23 97:4</p> <p>chairman 36:3</p> <p>chance 2:11</p> <p>change 11:17 27:14 39:22</p> <p>changed 37:10 52:19</p> <p>check 27:11,21 28:10,10,19 29:1,9 67:23 78:7</p> <p>checked 28:16</p> <p>chicago 66:12</p> <p>children 39:23</p> <p>choice 93:9</p> <p>circular 48:6</p> <p>cities 66:12,15</p> <p>citizen's 4:15</p> <p>claim 43:11 79:2</p> <p>clarification 14:15</p>
--	--	--	--

<p>clarifications 57:7 65:3 83:4 87:8 clarify 20:17 32:21 35:20 clarifying 62:19 classified 31:8 cleaned 29:9 clear 34:11 71:23 clearing 31:23 clearly 25:3 clears 72:2 clerk's 5:12 93:24 climate 27:13 close 34:3 40:4 53:23 92:12 closing 92:5 93:2 coarse 45:10 codes 44:3 cole 3:3 collection 38:16 collective 20:25 color 20:19,20 20:21,22 59:20 60:1,2,4,6 84:9 84:17 88:11 combine 81:8 come 12:2 24:7 28:4 33:25</p>	<p>53:9 54:4 59:16 73:12 79:7 90:22 comes 28:20 75:10 77:5 coming 13:9 19:25 28:8 44:1 comment 44:22 76:11 91:16 92:15 comments 21:10 34:15 36:6 commercial 67:6 68:25 74:4,5 commission 95:21 committee 37:17 common 27:13 communicated 48:9 communication 48:1 communities 79:2 community 45:25 46:1 51:17 79:1 88:18 90:11,20 91:1,6,25</p>	<p>comparable 66:22 compare 46:22 comparison 12:4,7,10 42:14 compatibility 1:8 5:5 95:11 complete 23:1 23:5 95:4 completed 71:18 comply 16:11 16:16 40:18 compound 20:22 50:11 59:25 60:5,5 60:10 computer 4:9 47:17 95:4 concern 15:20 28:12 49:16 concerns 21:15 48:3,11 concluded 33:22 conclusion 33:25 conclusions 79:7,8 conclusively 44:23 conditions 32:2 52:19</p>	<p>condo 3:10 9:2 10:20,25,25 11:4,5,10,18 12:2,19 13:3,9 16:3 19:21 55:9 57:18 58:11 62:3,13 63:4 74:14 80:1 81:9,10 83:19 85:17 89:19 condominium 6:5 58:18 61:20 condominium's 58:16 confidence 21:16 28:18 confident 27:12 29:20 33:14 55:4 72:1 configuration 70:24 confirm 9:23 conflict 24:17 conglomerate 91:12 conjunction 27:17 connect 78:5,15 78:22 79:14 connected 49:18</p>
---	--	---	---

<p>connecticut 1:2 1:3,12 3:5 4:7 5:1,9 16:12,17 42:13 48:2 49:19 92:6 93:3 95:7,15</p> <p>connection 78:11</p> <p>connections 78:1</p> <p>consequences 43:20</p> <p>consider 23:21 24:11 31:15 46:5</p> <p>consideration 10:21</p> <p>considered 35:21 93:22</p> <p>constantly 31:6</p> <p>constructed 25:11 67:1</p> <p>constructing 32:1</p> <p>construction 1:9 5:6 12:8 15:14,23 16:7 21:17,18 22:13 23:3,16,21,23 25:17 27:10 29:4,5,24,25 30:8 31:12,17 31:20 95:12</p>	<p>consulted 49:18</p> <p>cont'd 3:1 97:1</p> <p>contact 49:10</p> <p>contained 7:22 47:5</p> <p>continuation 4:23</p> <p>continue 5:15 5:18 10:12 17:23 18:7,16 18:21 19:2,9 19:19 21:16 27:4 32:12,16 34:12,18 35:15 35:24 37:5 41:13 42:2 47:13 60:15 61:16,24 62:2 62:12 63:1,13 72:14,17,21,24 73:3,6,13,23 74:13,17,20 77:14 84:19 85:11,15,21,25 88:21 89:13,17 89:24 90:4</p> <p>continued 1:14 4:4 5:17 95:7</p> <p>continues 24:8</p> <p>continuing 60:19,23 61:3 61:9,13</p>	<p>contradiction 25:25</p> <p>contribute 14:4 77:6</p> <p>control 15:13 15:22 16:13 22:7,9,20 23:17</p> <p>controlled 16:5</p> <p>controlling 16:2</p> <p>controls 16:6 21:5 25:5,20</p> <p>convenience 5:12</p> <p>convenient 5:14</p> <p>cooperation 61:21</p> <p>coordinated 48:1</p> <p>copies 93:23</p> <p>copy 4:11</p> <p>correct 9:25 29:16 30:2 37:9 43:6 49:14,15 50:9 56:2 65:12 69:12,23 70:17 70:18 82:20</p> <p>corrections 7:10</p> <p>correctly 35:5 59:1</p>	<p>correlate 42:17 44:19</p> <p>correlation 43:14,21</p> <p>correspond 43:23</p> <p>cost 12:4,7</p> <p>council 1:3,14 2:16 4:7,17 5:13,25 10:13 17:13 20:3,16 21:13 22:24 23:6 24:10 25:3,14 26:24 29:8 32:9 36:23 40:7 47:6 58:12 59:14 66:4,19 67:12 78:23 82:14 84:5 88:7 92:6,8 93:3,5,8,13,14 93:16,22 95:7</p> <p>council's 4:12 4:15,16 5:16 93:19</p> <p>councilmemb... 2:2</p> <p>count 11:25 53:19</p> <p>countered 11:5</p> <p>countries 66:13</p> <p>couple 69:13,14 78:13</p>
---	--	--	---

<p>course 52:11 68:24 78:3 84:13 court 15:7 44:11 73:20 cove 53:7 54:24 90:21,24 covenants 13:8 cover 42:21 54:11 66:24 67:7,8 78:16 78:20 coverage 33:2 33:16,17 35:4 38:4,9,12,15 42:8 43:8,16 43:17 44:15 45:2,12,13,15 45:16,19,20 48:5 55:3,4 66:22,24 71:6 71:24 76:15,15 76:17,23 coverages 46:4 covered 44:12 67:24 covering 54:17 76:14 covers 69:25 created 42:9 43:3,7 crew 25:6 critters 31:14</p>	<p>cross 10:12 14:13 17:23 18:7,16,22 19:3,9,20 32:12 35:24 42:2 58:10 60:15,19,24 61:3,9,13,16,24 62:2,12 63:1 63:14 66:3 72:14,17,22,25 73:3,7,14,24 74:13,18,20 77:14 84:4,20 85:11,16,21 86:1 88:6,21 89:13,18,23,24 90:5 92:14 crosses 15:4 crossing 14:8 14:21 15:11 ct 46:25 culvert 15:4 cumulative 38:25 39:3,8 39:20,25 41:18 41:19 43:25 cumulatively 14:1 39:11 curb 12:18 curious 37:17 75:25 90:15 current 23:19 33:3 34:6 35:1</p>	<p>35:2 40:3 69:19 70:14 currently 13:19 15:5 24:5 35:6 37:1 40:21 43:9 70:5 74:3 cut 12:9,14,18 12:22 33:10 cvr 95:20</p> <hr/> <p style="text-align: center;">d</p> <hr/> <p>d 1:6,18 5:4,20 6:11,11 22:24 23:4,8 24:10 25:13 29:7 56:14,14 64:6 82:1 95:9 dam 28:10 29:1 dams 27:11,21 28:10,19 29:9 daniel 2:14 dark 20:21 darker 60:1,6 darkish 20:20 data 36:14 38:16,19 42:17 database 75:3 date 93:15 dated 6:6,8 82:14 david 11:15,24 12:6,12 15:15 15:24 16:14,18 22:18 23:25</p>	<p>25:8 27:16 28:6 29:3 30:1 50:16 96:3 davis 55:24 days 79:6 93:14 db 33:5,21,24 dead 33:19,24 dean 85:22 death 78:13 debris 28:20,23 decibel 42:11 decide 68:21 decision 69:2 deck 54:2 declare 94:1 declination 34:21 dedicated 76:21 deed 12:25 deeds 11:10 deep 2:6 deer 31:5 default 45:9 degree 28:1,4 28:11 75:16 76:13,24 77:1 77:2 degrees 42:25 76:15,17,24 77:4,5,6 deletions 57:7 65:3 83:4 87:8</p>
---	---	---	---

<p>demonstrated 40:17</p> <p>density 13:25</p> <p>dep 48:2 49:11 49:13</p> <p>department 13:20</p> <p>depend 67:22 77:23 79:9,11 79:12</p> <p>depending 67:9</p> <p>deploy 16:1</p> <p>deployed 66:7 66:9,11,13</p> <p>deployment 74:8</p> <p>deposited 5:11 93:24</p> <p>design 13:17 15:20 23:5,11 27:20 28:15 30:3 69:9 84:10 88:12</p> <p>designed 11:19 13:12 24:5 27:17</p> <p>designee 2:6,9</p> <p>desires 93:12</p> <p>detailed 19:16</p> <p>determine 53:22</p> <p>determined 27:23</p>	<p>determines 60:3</p> <p>developed 42:16 46:7</p> <p>development 12:19</p> <p>diagram 68:5,8</p> <p>died 78:14</p> <p>difference 46:16</p> <p>different 42:21 43:1,6 44:8 45:18 67:19 76:2</p> <p>diligence 47:23 48:19</p> <p>dimensions 67:19 69:22</p> <p>diminishing 37:16</p> <p>directed 21:11 68:17</p> <p>direction 34:22</p> <p>directions 42:21 43:2 77:2</p> <p>director 2:18 4:20 56:16 64:9 82:3 86:17</p> <p>directors 21:1 63:9</p> <p>disappears 45:20</p>	<p>discuss 27:8</p> <p>discussed 14:16 15:18 26:21 60:7</p> <p>discussion 13:11 14:8</p> <p>discussions 59:1 74:6</p> <p>disguised 84:16 88:15</p> <p>distance 14:10 14:12 37:20</p> <p>disturbance 59:12</p> <p>divided 44:3</p> <p>dixon 95:20</p> <p>docket 1:5 9:15 10:3 31:25 47:4,5,12 51:1 95:8</p> <p>dockets 46:15 46:22,23 47:1</p> <p>docks 88:17</p> <p>document 53:12 82:13</p> <p>documented 38:5,19</p> <p>documents 13:6 57:8 65:4 83:5 87:9</p> <p>doing 22:22 25:9 31:1 76:6 78:23</p>	<p>double 22:21</p> <p>doubling 15:19</p> <p>downhill 23:23 27:11</p> <p>downpours 27:14</p> <p>downward 22:10</p> <p>dr 2:13 4:18 18:8,17,18,19 60:20,24,25 61:1 72:22,23 84:24,25 89:2 89:3</p> <p>draft 11:3 93:16,19</p> <p>drainage 26:3 27:9 28:1,22 29:13,21,22</p> <p>dramatically 71:24</p> <p>drawing 68:5</p> <p>drive 1:11 5:8 42:12 45:24 46:1,6,9,12,13 46:14,21 47:1 47:16,21 58:17 79:1,4,10 95:15</p> <p>driven 46:3</p> <p>drives 77:24</p> <p>driveway 16:3 27:9</p>
--	---	--	---

<p>driveways 21:17,18 driving 46:11 84:14 drone 48:5 droppings 28:24 drove 43:10 due 47:22 48:19 78:14 duly 6:16 dunn 9:6 32:14 57:23 61:25 63:19 65:22 74:18 81:1 83:21 85:20 87:23 89:23 duty 91:24</p>	<p>75:16 early 24:4 earth 59:11 ease 50:10 easement 11:2 25:12 50:6,10 educated 90:12 effect 31:21 39:13 effective 53:18 effectively 37:25 effects 40:6 41:11,18,18,19 eight 27:11 either 15:10 54:1 elaborate 77:17 elaine 3:23 6:7 10:6,7,9 42:3,4 42:5,20 43:3 43:10,23 44:10 44:24 45:6,24 46:13,19,21,25 47:9,14 48:5 48:12,18,24 49:10,18,23 50:3,7,12,19 51:4,12,22,25 52:9,12,20 53:1 54:5,22 55:6 58:6,7 63:14,15 65:25 66:1 77:15,15</p>	<p>77:16 78:25 79:4,10,20 81:5,6 83:25 84:1 86:1,2,3,5 86:8,13,22 87:4,18 88:6 89:18 90:5 92:2 96:10,20 97:2 electromagne... 3:14 62:4 63:21 89:25 ellen 89:14 emergencies 78:12 emergency 67:2 emf 39:8,16,17 emissions 39:19 empirical 36:14 empirically 36:11 39:6 employed 67:14 encompass 29:12 encroach 24:25 25:4 encroachment 25:24 endangered 30:10,12 31:9</p>	<p>enforcement 21:4 engineer 47:17 engineering 11:16 75:10 engineers 59:13 england 42:13 enhanced 31:9 enjoyed 84:15 enjoying 18:11 90:21 entire 23:5,11 23:22 25:10 entrance 22:14 23:16,23 entry 15:2 environment 41:20 59:15 environmental 1:8 5:5 21:5 52:18 91:18 95:10 equipment 1:10 5:8 67:17 67:18 72:7,9 78:2,4 95:14 erosion 16:6,12 21:4,14 22:6,8 22:20 23:16 25:5 51:25 errors 93:18 especially 43:20</p>
<p>e</p>			
<p>e 1:18,18,18 2:1 2:1 3:1,1 6:9 6:11,12,13,13 6:14,14 15:1 25:6,20 56:14 64:7,7 82:1 86:15,15,15 eagles 47:22,23 48:3,7,14,16,21 49:11,12,14,16 91:18 eared 30:9 earlier 22:19 31:4 39:4</p>			

<p>esq 2:17 3:6 establish 69:2 78:1 established 36:11 estimate 53:12 et 32:20,23 eti 16:25 17:5,8 17:12 20:8,10 32:20,23 63:21 64:14 65:15 66:3 73:14,24 74:3,13,20 77:14 eti's 16:20 17:4 66:6,7,19 67:2 67:13 70:11 evaluate 24:4 evaluated 39:8 39:10 47:25 48:8,22,24 50:22 evaluating 44:20 evaluation 21:11 event 31:13 eversource 11:20 everyone's 30:6 evidence 40:21 93:20 evidentiary 4:4 4:23 5:17 92:5</p>	<p>92:12,16 93:2 95:7 exact 43:14 exactly 25:17 35:2 43:18,19 44:20 examination 10:12 17:23 18:7,16,22 19:3,9,20 32:12 35:24 42:2 58:10 60:15,19,24 61:3,9,13,16,24 62:2,12 63:1 63:14 66:3 72:14,17,22,25 73:3,7,14,24 74:13,18,20 77:14 84:4,20 85:11,16,21 86:1 88:6,21 89:13,18,23,24 90:5 92:14 96:6,12,17,23 97:3 examine 68:20 77:25 examined 6:16 56:16 64:9 82:3 86:17 example 68:14 69:7,14 84:9 88:11</p>	<p>excavation 26:2 excuse 26:8 40:11,13 65:18 92:10 executive 2:18 4:20 56:16 64:9 82:3 86:17 exhibit 83:1 exhibits 5:21 6:1,20 7:12,23 8:22,25 10:10 10:13 17:24 18:17,22 19:1 19:3,21 26:10 32:13 34:7 35:7,25 42:3 51:10 56:21,24 57:4,10,14,19 58:8 64:14,15 64:18,24 65:7 65:10,15 66:2 82:9,10,22 83:7,10,15 84:2 86:22,25 87:5,11,14,18 88:4 92:13,21 existing 15:3 33:20 43:7 67:19 75:15 expandable 17:16</p>	<p>expect 11:25 14:4 experience 21:3 expires 95:21 explain 45:6 explained 75:16 explanation 33:11 express 20:3 extend 35:3,3 extension 34:3 extent 46:8 eye 60:2 eyesore 91:19</p> <hr/> <p style="text-align: center;">f</p> <hr/> <p>f 1:18 facility 1:10 5:7 25:11 40:18 47:6 95:13 fact 50:19 92:7 93:5,7,16,19 fairly 12:15 23:7 faith 22:6 fake 18:11 fall 53:20 falls 45:25 50:13,19 51:14 51:17 79:1 familiar 29:15 families 88:18</p>
---	---	---	--

<p>family 39:23 far 15:21 37:7 49:23 51:25 69:21 fcc 39:3 40:19 41:10 44:24 66:18 feasibility 67:15 71:3 features 50:24 federally 30:10 30:11 31:8,9 feedback 20:12 feel 39:24 feet 12:17,20 14:10 23:18 24:3,16,18,19 25:19 28:4,8 48:5 49:1 50:3 felt 91:10,24 fence 15:19 60:5 fencing 15:17 16:7 20:22 22:21 fi 66:16 figure 69:15 file 35:13 93:13 filed 29:7 35:7 36:22 51:10 82:18 92:8 93:5 files 25:25</p>	<p>filing 22:24 23:8 24:9 25:13 33:10 36:5 fill 12:9,14 filling 26:2 final 26:3 finalize 79:8 finally 31:3 financial 10:19 58:23 find 9:14 30:15 finding 93:19 findings 92:7 93:4,7,16 finds 49:25 fine 10:7 35:16 35:19,21 finish 40:16 59:21 84:9 88:11 finished 32:8 fire 13:20,23 firemen 54:13 first 9:6 18:12 32:14 34:2,12 42:7 45:13 51:13,18 57:23 58:15 61:25 63:18 65:22 68:16 71:22,23 72:3 74:18 81:1 82:16 83:21 85:20</p>	<p>87:23 89:23 91:15 92:20 fish 48:1,9 five 91:13 fixed 44:25 45:1 flat 12:15 fluctuate 44:12 fluctuation 44:14 follow 11:19 36:4 followed 10:14 17:25 18:8,17 18:23 19:4 58:13 60:16,20 60:24 61:4,10 following 6:21 21:2 54:8 follows 6:17 22:25 56:17 64:10 82:4 86:18 followup 25:22 fontaine 2:23 4:22 foot 23:22 27:10,25 69:16 forego 71:13 foregoing 95:3 forested 78:10 78:12,20 forward 41:1</p>	<p>forwarded 59:2 found 50:2 foundation 13:18 54:1 four 42:24 49:5 76:5 foxes 31:6 francisco 66:12 frankly 51:6 frequencies 37:11,13 38:8 38:12,13 39:10 42:15 66:17 frequency 37:19 38:11,16 44:2,3,4 67:9 67:10 fresnel 68:16 71:23 72:3 friends 20:8 91:9,13 fritz 55:17 full 8:22 24:7 45:13 71:17 75:17 88:16 function 29:10 75:23 functioning 28:17 functuation 44:14 further 15:7 18:5,13 19:14 19:18 24:4</p>
--	--	---	---

<p>34:14 41:13 60:22 61:1 63:12 70:7 71:4 72:20 73:8 74:10 79:21 92:3</p>	<p>giving 20:3,16 gma 69:6 go 12:1 15:2,6 19:11 24:8 28:2 29:19 34:19 40:22 45:8 50:21 53:5 70:20 78:2,6 79:6 goal 79:18 goes 15:10 28:13 going 15:17 21:21,25 24:6 26:9 27:8 28:3 28:7,9 34:1,24 36:4 40:1 41:1 41:11 47:11,12 51:15 52:7,10 55:16 68:3,21 73:10 90:25 golembiewski 2:5 4:19 18:18 18:23,24,25 60:25 61:4,5,6 72:25 73:1 85:1,2 89:4,5 good 4:3 5:23 5:24 10:5,10 10:15,16 18:1 18:2,9,10,15,18 18:19,21,24,25 19:5,6,8,12,13 19:15,23,24</p>	<p>22:2 32:17,18 32:19,22 35:23 36:1,2 42:1,4,5 60:2 62:11,25 63:16 65:7 73:23 79:22 81:7 82:21 85:19 grade 12:16,16 grading 12:21 16:1 26:3,3 gray 59:21,22 84:10 88:12,13 great 20:2 green 60:1 group 30:5 guarantee 26:7 29:22 guarantees 26:1 guess 18:11 guide 4:15 guidelines 16:12 guy 74:24</p>	<p>18:1,2,6 58:13 60:16,16,17 72:15,16 84:20 84:21 88:22,23 hand 56:12 64:5 81:20,21 86:12,13 handle 27:18 hands 21:3 happen 80:9 happening 51:14 happy 26:14 hard 32:3,6 43:19 harmonize 39:22 hartford 3:5 headquarters 21:9 health 40:6,14 41:11,17 hearing 4:4,13 4:15,24 5:11 5:17,22 6:2,24 10:18 11:23 15:19 26:13,20 36:21 41:8 52:5,8 55:16 56:3,21 58:22 64:16 82:10 86:23 93:23 94:1 95:8</p>
g			
<p>g 6:9,12 95:20 gain 33:5,8,21 69:7 gained 54:18 galvanized 59:21 84:10,11 88:12,13 gap 46:14 gaps 43:11 44:15 45:4 46:2 79:2 general 5:1 generate 31:10 genesis 55:18 gentlemen 4:3 79:23 geographic 53:15 germane 62:23 getting 20:11 76:16,22 77:1 77:8 give 21:22 63:8 68:7 gives 53:16</p>			
		h	
		<p>h 1:18 6:11,13 6:14 56:14 64:6,6 half 21:7 38:1 45:14 hall 2:12 4:17 10:14 17:24</p>	

<p>heat 18:12</p> <p>heavy 31:12</p> <p>height 17:16 37:21 70:3 71:8,9</p> <p>heights 54:21 54:23</p> <p>held 4:24,25 95:15</p> <p>helpful 20:9</p> <p>hemorrhaging 78:15</p> <p>hereof 93:15</p> <p>heterodyne 39:22</p> <p>hexagon 45:14</p> <p>hexagons 45:9 45:12,22</p> <p>hg 33:8</p> <p>hidden 1:11 5:8 24:16 53:7 58:17 95:14</p> <p>high 14:10,17 24:20 28:19,25 28:25 37:7,24 38:7 46:10</p> <p>higher 33:8 45:4,16 69:7</p> <p>hill 14:22 17:14 33:18,19,23 34:22 66:21,23 68:4,10 69:8 69:16,20,21 70:6 71:5 72:2</p>	<p>72:5 74:24 75:1</p> <p>historical 49:23</p> <p>hit 50:20 76:3</p> <p>hitting 51:17</p> <p>home 53:17,23 54:1,2 91:25</p> <p>homeland 1:6 3:2 5:3,19 10:22,24 11:2 13:15 14:23 15:13 20:19 21:13 22:8 23:21 24:24,24 25:2,4 26:1 29:20 30:13 31:7,15,18 32:5,10 53:1 59:3 61:20 95:8</p> <p>homeland's 25:24</p> <p>homes 46:1 50:14 53:2,5,6 53:7,19</p> <p>hope 18:10</p> <p>horizontal 76:5 76:14</p> <p>hosek 7:4,5,16 7:17 8:3,4,15 8:16 30:17,17 47:24,24 48:8 48:17,22 49:15 49:20 50:1,1,5</p>	<p>50:9 96:4</p> <p>hosts 17:15</p> <p>hour 27:14</p> <p>house 13:7</p> <p>housed 37:10</p> <p>howard 3:16 9:8,9 32:16,18 34:9,9,18,19,20 35:2,10,14,16 57:25 58:1 62:5,6,8,10 63:25 64:1,14 64:21,22,25 65:6,9,12 66:11,16,23 67:4,8,18 68:2 68:11,13 69:12 70:1,13,18 71:8 72:8,12 74:5 76:1,8 77:3,10,12,19 77:22 79:3,5 79:12,24 80:20 83:22,23 85:22 85:24 87:24 88:1 90:1,3 96:9,16</p> <p>huh 41:9 76:19</p> <p>hundred 15:3 23:18 24:3,18 45:1,10,22,25 50:13 88:17 91:25</p>	<p>hundreds 42:12 91:20</p> <p>hurt 20:15</p> <hr/> <p>i</p> <hr/> <p>idea 43:13 44:17,18</p> <p>identification 56:22,24 64:17 64:19 82:11,22 86:24 87:1</p> <p>identified 24:15</p> <p>identify 15:16 53:14 93:18</p> <p>imagine 52:19</p> <p>impact 29:23 39:5,8,25 44:13 54:22 59:15 91:17</p> <p>implementing 23:4</p> <p>implied 25:9</p> <p>important 35:4 78:11</p> <p>impression 71:22,22</p> <p>inch 24:20 27:11 28:19,25</p> <p>inches 27:14</p> <p>include 34:15 54:10</p> <p>included 70:3</p>
---	--	--	---

<p>includes 88:17 including 26:3 44:5 49:5 inclusion 38:6 inconsistencies 93:18 incorporate 80:5 incorporated 52:15 incorporating 80:13 increase 11:5 12:16 33:2 43:2 increased 23:2 increasing 24:3 index 96:1 97:1 indicate 48:11 68:8 indicated 30:3 39:4 indication 17:11 industries 32:20,24 62:4 63:21 89:25 industry 3:14 infiltration 16:2 information 7:22 8:9 36:18 46:14 47:5 48:13 70:4</p>	<p>93:20 informed 90:12 infrastructure 75:21 infringement 24:14,24 ingelheim 21:8 injured 78:14 78:21 inquired 31:4 inspection 21:4 installation 25:6 54:19 instances 25:15 instructions 4:14 instrument 78:6 integrity 17:19 intending 17:2 intent 25:10 intention 24:25 interesting 45:24 76:9 interference 76:17,22 77:1 77:4 interfering 76:25 intermittent 15:8 interpretation 53:21 54:3</p>	<p>interrogatories 6:6,7,23 36:22 38:6 40:3 49:6 interrogatory 17:14 26:13 52:2 66:20 67:13 interrupt 21:21 41:2 intervener 3:19 3:22 8:24 55:10,19 57:17 63:22 65:14 66:6 81:12,13 82:16 83:14 86:5,6 87:17 90:10 92:8 93:6,12 interveners 9:1 36:24 80:12 93:10,17 intervening 54:16 intervenor 3:15 intervenors 3:11 investigation 11:19 involve 15:11 71:2 involved 91:2 issue 28:17 75:8 93:16</p>	<p>item 21:12 63:17 items 5:21 6:3 6:25 22:19 50:2 51:9 63:7</p> <hr/> <p style="text-align: center;">j</p> <hr/> <p>j 64:6,7 jalil 3:17 9:8,10 32:16,19,19 33:16 34:14 35:18,19 57:25 62:5,9 63:25 64:15,20,23 65:1,5,13 68:10,12 69:4 69:11,18 70:2 70:12 71:1,21 75:5 76:10,13 76:20 77:20 80:20,21 83:22 83:24 85:22,23 87:24,25 90:1 90:2 96:8,16 jersey 78:13 jma 16:22 17:2 17:5 33:1,6 35:20 69:10 jma's 17:9 john 1:19 2:3 3:16 4:6 9:8,9 32:16,18 34:9 34:9,20 35:2 35:10,14,16</p>
--	--	---	--

<p>50:1 57:25 58:1 62:4,6,8 62:10 63:25 64:1,14,22 80:20 83:22,23 85:22,24 87:24 88:1 89:25 90:3 95:16 96:9,16 join 32:15 jr 2:14 juncture 5:14 june 92:9 93:6 jurisdiction 26:24 27:1 justification 36:19 justify 23:11 47:6</p>	<p>know 9:19 13:24 15:10 17:9 20:24 23:19 24:6 25:17 35:4 36:8 37:6,15 37:20 39:21 44:17 48:16,18 53:3,25 70:10 71:5,15 72:5,6 75:17 knowing 43:18 44:20 knowledge 7:24 20:25 57:11,12 65:8 83:8 87:12 known 12:25 93:13 kunkel 55:13 55:23</p>	<p>19:21 45:3 51:16 55:8 57:18 58:11,16 62:2,12 74:14 80:1 81:8,9 83:18 85:16 89:18 90:21 91:9,12,14 land 25:16 landscaped 24:20 lane 44:11 large 45:23 larger 54:6 lastly 17:13 68:3 72:4 lattice 69:16 74:25 lavin 7:6,7,18 7:19 8:5,6,17 8:18 14:2 33:9 33:9 37:11 38:3,3 39:9,9 42:10,10,23 43:7,13,25 44:17 45:5,7 46:7,23 54:15 54:15 55:1,1 96:3 laws 48:24 leaf 28:23 leash 52:24 leave 93:9</p>	<p>legal 24:25 length 23:22,23 24:7 level 59:13 levels 14:3 45:12,16 levin 14:2 license 37:13 lieu 17:5 light 91:15 lighter 60:4 lightish 20:21 likely 11:25 12:2 16:1 31:13 32:1 53:16,23 lillinonah 3:10 6:4 9:2 10:25 19:21 45:3 51:16 55:9 57:18 58:11,16 62:3,13 74:14 80:1 81:8,9 83:19 85:17 89:19 91:13 limit 38:12 limited 12:14 37:20 50:17 52:4 67:5 limits 50:18 52:7 line 24:14,18 24:21,23 25:16 25:18 26:5,18</p>
k	l		
<p>k 6:13 82:1 kbaldwin 3:7 keep 26:19 28:24 36:4 kenneth 3:6 key 36:7,9 kristine 2:12 kilometers 33:17,19,23 75:7 kind 75:10 78:4 90:15</p>	<p>l 1:18 6:10,11 6:14,14 56:14 56:14,14 64:7 64:7 86:15 labeled 6:3 lack 21:14,16 75:20,21 ladies 4:3 lady 78:14 lake 3:10 6:4 9:2 10:24</p>		

<p>67:25 75:14,18 75:23 77:17 91:16 lisa 2:23 4:22 listed 6:23 51:11 56:1,3 56:21 64:15 82:10 86:23 lists 48:10 literally 21:12 22:10 little 15:6 60:11 71:2 73:12 live 45:24 67:23 lived 63:4 living 39:15 llc 1:6 3:2 5:3 5:19 55:24 95:9 llp 3:3 llsca 20:22 21:1 22:11 24:18 25:1,4,7,23 26:4 29:23 31:4 58:19 59:6,9,20 62:16 loading 70:11 located 1:11 5:8 37:21 95:14 location 15:11 17:15</p>	<p>locations 15:8 71:20 logically 53:19 long 14:22 29:6 30:9 84:15 longer 33:18 46:9 73:12 look 49:12 53:17 76:23 looked 11:6 looking 40:25 looks 24:20 45:21 lot 31:14 45:21 love 38:18 low 38:13 lower 14:3 lynch 2:14 4:18 19:11,12,13 61:10,13,14 73:7,8 85:8,9 89:10,11</p>	<p>make 28:4 34:17 35:12 68:19 80:9 93:12 makes 28:1 making 64:18 man 56:4 management 28:22 29:14 mandate 23:17 manner 47:15 manual 16:17 map 33:16 44:25 46:4 53:22 54:3 mapped 75:9 maps 36:15 43:4,5,8 45:21 46:6 54:10,18 55:2 march 82:16 marked 5:21 marking 56:23 82:22 86:25 martin 14:2 33:9 38:3 39:9 42:10 52:14,14 54:15 55:1 96:3,4 mast 69:24 70:16 matt 53:10 matter 4:13 92:6 93:3</p>	<p>matthew 96:5 meadow 14:22 mean 52:16 59:9 70:13 72:9 76:16 means 15:16 76:16 meant 43:24 measure 44:4 78:3 measured 42:17 43:22 53:25 measurements 44:21 79:7 measures 15:18 meet 67:2 meeting 1:14 10:3 32:23 91:5 95:6 meetings 9:16 megahertz 33:5 33:6,16 35:20 37:9 38:8 melanie 2:17 4:20 melinda 55:24 members 4:17 4:19 5:25 memo 5:17 mention 14:21 mentioned 29:12 44:24,24 50:12 51:13</p>
	m		
	<p>m 6:10,12,14 22:24 23:4,8 24:10 25:13 29:7 86:15 95:20 made 5:10 36:6 70:8 maintenance 1:9 5:6 28:22 29:6,14 95:12</p>		

<p>76:13 77:18,19 mentioning 23:2 merely 68:14 merwin 44:11 met 24:1 meter 70:6,8 meters 69:22 69:24 70:16 mhz 33:24 michael 2:20 4:21 micro 50:20 mid 38:7 mile 37:25 39:12,15 military 68:24 mind 22:16 mine 36:4 mini 30:5 minimal 12:21 59:12,12 minimum 23:18,19 24:1 71:19 minor 23:7 minute 5:13 73:11 minutes 9:16 10:3 73:16 missing 45:3 46:4 mobile 45:1</p>	<p>model 27:19 33:4,5 42:14 46:22 47:2 modeling 47:14 47:15 models 42:9,16 42:18 46:8 47:19 modifications 7:11 57:7 65:3 83:4 87:8 moment 11:7 13:5 29:19 66:25 74:6 monitored 29:9 monitoring 21:4 morissette 1:19 2:3 4:6 5:25 8:21 10:1 20:7 26:8 32:8 34:1 34:24 36:17 40:1 46:17 47:4 49:3 50:23 56:11 57:21 61:18 64:4 74:1,11 80:10,17 81:18 85:14 86:10 89:15 92:10,19 92:24 95:16 96:13,18,23 97:4</p>	<p>move 55:8 63:20 81:11,11 86:4 91:15 movement 70:21 moving 11:12 13:10 14:7 15:12 17:13 47:22 70:23 mpe 14:5 muirwood 44:10 multiple 76:20 municipal 21:6 92:15 murphys 44:11 mute 4:9 20:8 20:10 muted 40:24 mx04fr0845-... 33:4 mx06fhg665 33:8</p>	<p>nature 25:19 nayden 3:20 9:11,12 10:2 35:25 36:1,2 37:3,6,15 38:14 39:15 40:8,10,12,14 40:15,22,23,24 41:3,6,9,14,17 41:23,25 58:4 58:5 63:2,3,10 63:12 65:23,24 74:21,21,22 75:8 76:7,9,11 76:12,19 77:8 77:11,13 81:2 81:3,12,15,16 81:19,21 82:9 82:13,17,20,24 82:25 83:2,6,9 83:12 84:5,12 85:12,16,22 86:1 88:2,3,15 90:6,6,7,9,19 92:2 96:9,14 96:20,22 97:5 nayden's 82:9 83:15 near 53:20 nearby 53:18 necessarily 48:12 necessary 14:13 46:9</p>
		n	
		<p>n 2:1 3:1 6:10 6:10,11,12,13 6:14 64:6 82:1 82:1 86:15 name 4:6 narrative 53:3 narrow 68:19 72:3 76:18,20</p>	

<p>66:21 68:2 necessity 46:10 need 1:8 5:5 9:13 31:19 36:12,20 37:18 37:19,22 38:2 38:4,19,23 47:6 54:8 67:20,25 71:20 79:6 95:11 needed 25:20 33:15 38:20 60:8 71:13 needs 67:2,24 68:15 80:9 negligible 39:13,18 nests 48:7,21 49:1 net 28:2,5 29:21 30:2 new 5:21 8:25 9:20 10:13 17:24 18:17,22 19:1,3,20 32:13 35:7,25 42:3,13 66:8 71:12 78:13 93:20,20 nguyen 2:8 4:19 18:23 19:4,5,6 61:4,9 61:10,11 73:4 73:5 85:3,4</p>	<p>89:6 nine 12:17 noise 77:4,6 non 25:24 normal 49:22 north 15:7 northern 30:9 nos 3:10 notary 95:21 notes 95:5 notice 4:13 number 10:25 11:1,2,4 21:12 27:21,21 53:4 53:12,21 54:4 54:5 55:9 57:19 58:11 59:2 62:13 66:20 67:13 68:23 69:2 74:14,15 80:2 80:4,7,24 81:9 81:10 numbers 11:8 numeral 5:21 56:22 64:16 82:11 86:23</p> <hr/> <p style="text-align: center;">o</p> <hr/> <p>o 1:18 6:12,13 64:6,6 86:15 oath 6:17 55:11 55:12,20 56:10 56:17 63:23,24</p>	<p>64:3,10 81:14 81:15 82:4 86:7,8,9,18 object 8:24 22:22 26:9 34:2,4,5,25 40:2,12,14 47:3 57:17 65:14 79:17 83:14 87:17 objecting 41:15 objection 9:4,9 9:10,12 10:8,9 20:23 34:12 47:8,10 49:9 56:23 57:1,21 57:25 58:1,3,5 58:7 64:18,21 65:16,18,19,23 65:24 66:1 80:12,16,18,20 80:21,23,25 81:2,3,5,6 82:21,24 83:17 83:20,23,24 84:1,12 86:25 87:2,20 88:3 objections 65:20 87:22,25 88:1 obligation 40:19 obtain 63:5</p>	<p>obviously 13:7 78:5 occur 50:14 53:24 occurring 31:21 oet 39:14 offer 6:1 7:11 8:21 57:14 65:10 75:24 83:10 87:14 offered 56:20 64:15 82:9 86:22 office 5:12 23:9 93:25 oh 20:6 40:24 41:3 51:22 55:21 okay 10:2 14:19 20:14 22:2 23:4,15 27:6 29:11,17 30:25 31:3 32:3,7 34:9 37:3,6,15 39:15 40:24 41:5,23,24 48:12 49:10 51:12 52:20 54:5 55:6,22 56:6,7 62:6,18 63:10 70:23 75:8,9 76:7</p>
---	---	---	--

<p>77:11 79:25 81:1 83:25 93:2 once 13:5 30:1 68:20 75:11 one's 57:19 open 21:6 24:2 53:21 54:2 67:25 openings 70:20 operation 1:9 95:12 operations 5:6 opinion 46:7 opportunity 20:3,16 21:23 36:3 51:8 52:3 opposed 33:2 44:15 option 15:6 45:19 71:6 order 4:5 ordered 13:3 original 15:18 52:10 54:17 95:5 originally 11:19 outside 26:10 32:1 34:6,25 35:11 40:2 41:7,21 90:17 overall 44:4</p>	<p>owned 21:7</p> <hr/> <p>p</p> <p>p 2:1,1,14 3:1,1 6:11 82:1 p.m. 1:16 4:1,6 5:14 73:18,18 94:4 page 13:10 14:7,20 15:12 59:18 96:2,11 96:15,21 97:2 pages 10:18 58:22 95:3 paint 20:19 panel 6:20 17:18 26:25 55:10,20 63:22 81:13 86:6 pardon 44:14 part 22:24 29:13 35:8 39:13 41:4 43:10 49:21 50:15 51:19 52:21 56:1 91:13 participation 94:2 parties 36:23 60:8 80:11 93:9,17 partnership 1:6 5:3,20 95:9</p>	<p>parts 91:18 party 8:24 57:17 83:14 87:17 92:8 93:5,11 paska 3:20 9:11 9:12 10:2 35:25 36:1,2 37:3,6,15 38:14 39:15 40:8,10,12,14 40:24 41:3,6,9 41:14,17,23,25 58:4,5 63:2,3 63:10,12 65:23 65:24 74:21,21 74:22 75:8 76:7,9,12,19 77:8,11 81:2,3 81:12,15,16,21 82:8,9,25 83:15 84:4 85:12,16,22 86:1 88:2,3 90:5,6,7,9,19 92:2 96:9,14 96:20,22 97:5 pass 75:15 passing 9:22 past 52:17 90:22 path 15:25 paths 75:15,15</p>	<p>pause 73:18 paved 12:18 16:3 pcs 38:7 pending 13:13 pennsylvania 74:8 people 77:8 78:21,23,24 90:11,22 91:1 91:2,6,12,21 percent 11:5 12:3 14:5,5 15:3 39:5 45:2 45:14 perfect 71:9 perform 26:2 performing 31:15 period 29:2 permission 93:21 permit 25:5 perron 32:22 perrone 2:20 4:21 10:14,15 10:16 11:12,21 12:4,9,23 13:10,24 14:7 14:14,18,20 15:12,21 16:9 16:16,20 17:4 17:13,17,21,22 18:2 19:16</p>
--	--	--	---

58:12,13,14,21 59:6,10,18 60:12,14 66:4 66:5,14,19 67:1,5,12,25 68:3 69:5,9,13 69:21 70:10,15 70:23 71:17 72:4,11,13 73:2 84:5,6,18 88:7,8,19,20 96:7,14,19,24 97:5 perrone's 34:3 personally 37:22 phase 22:25 29:4 31:17 phone 20:9 38:15 43:15,21 44:8,8,18 78:4 78:16 phones 20:11 pictorial 69:6 piece 38:19 pipe 28:11 pipng 15:9 place 11:20 16:6 placed 37:7,7 placement 13:21,22 36:12 plan 10:19 11:3 21:15 22:7,9	23:4 24:14,15 28:22 29:12,14 58:23 planner 42:11 planning 35:21 plans 15:16 22:23 23:6 25:2,9,25 29:7 30:3 50:18 plantings 60:9 please 4:9 19:23 20:11 22:17 23:14 27:5 30:16,22 35:15 36:1 37:4,5 40:16 41:1,13,16 46:25 47:13 52:11,11,24 56:10,12 62:14 63:25 64:2,5 74:15,21 81:20 86:9,11 90:6,7 plenty 62:23 plotted 75:11 point 26:11 28:8 34:17 35:12 38:22,24 42:20 53:1 73:10 pointing 43:5 points 15:2 police 13:23	political 91:7 91:20 politically 91:10 pop 91:23 population 38:17 portion 24:4,9 53:15 position 66:24 possibility 60:8 possible 48:2 78:17 84:17 88:15 possibly 92:15 post 26:6 27:10 29:24 posted 9:24 10:1 posting 26:22 26:23 27:2 potentially 11:17 16:21 17:6 71:6 75:22 power 13:25 14:3 63:8 pre 82:18 precise 53:21 predict 42:18 predictably 42:18 predicting 55:3	prediction 45:11 predominantly 38:16 prefer 59:6,25 preference 59:20,24 84:8 88:10 preferred 14:9 preparation 6:22 57:4 64:24 83:1 87:5 prepare 6:22 57:3 64:23 82:25 87:4 prepared 4:11 present 55:10 55:19 63:22 81:13 86:6 preservation 49:23 president 55:25 presidents 11:4 pretty 29:1 prevalence 31:11 prevent 12:25 13:8 prevents 54:16 54:20 previous 26:21 80:6
--	---	--	--

<p>previously 6:15 71:11</p> <p>prime 66:24</p> <p>prior 16:6 25:16 32:23</p> <p>privately 21:7</p> <p>problem 72:9 77:7</p> <p>procedure 5:2</p> <p>procedures 4:16</p> <p>proceeding 8:11,22 35:1</p> <p>proceedings 34:6</p> <p>process 9:20 11:9 29:15 49:22</p> <p>produce 42:19</p> <p>program 5:22 6:24 26:20 52:6 56:4,21 64:16 82:10 86:23</p> <p>prohibit 22:9</p> <p>prohibiting 75:22</p> <p>project 16:10 21:12 31:17 50:17</p> <p>projected 11:21</p> <p>prompted 90:9 90:15</p>	<p>propagate 39:21 47:19 68:16</p> <p>propagation 36:15 42:9,11 43:3 45:11,21 46:6,8 54:10 55:2</p> <p>proper 45:17</p> <p>properly 29:10 75:23</p> <p>properties 11:11 13:3,9 21:6,7 31:6 50:18</p> <p>property 11:18 12:2 14:17 22:11,21 24:14 24:18,21,23 25:1,4,7,11,12 25:16,18 26:5 29:24 54:1 58:16,18 59:7 59:8,9,17</p> <p>proposal 10:19 11:3 58:23</p> <p>propose 33:5</p> <p>proposed 12:5 12:10 13:19 15:1,14 17:5 30:11 32:25 33:8 50:10 59:19 67:1 68:5 84:7 88:9</p>	<p>92:7 93:4,7</p> <p>proposing 16:25 80:5</p> <p>protected 48:16</p> <p>protection 21:6</p> <p>prove 46:6 48:6 48:20</p> <p>proven 48:25</p> <p>provide 23:10 26:1 31:19 66:22,24 68:23</p> <p>provided 36:8 36:19,20</p> <p>provisions 4:25</p> <p>public 1:8 4:13 4:14,14,24 5:5 5:12 13:11,13 13:19,22,23,24 36:11 37:18,19 37:22 38:2,4 38:19,22 67:7 67:8 70:4 95:11,21</p> <p>pura 2:9</p> <p>purpose 55:11 63:23</p> <p>purposes 55:20 56:23,24 64:17 64:19 68:25 81:14 82:12,22 86:7,24 87:1</p> <p>pursuant 4:25 20:17 21:14</p>	<p>put 16:6 37:24 38:10 39:16 48:14 54:13</p> <p>putting 17:1</p> <hr/> <p style="text-align: center;">q</p> <hr/> <p>quality 16:17</p> <p>quat 2:8</p> <p>quest 51:22</p> <p>question 14:12 16:24 17:18 20:7 22:1,3,12 23:13,24 25:22 25:23 26:9 27:1 29:18 31:4 32:4,21 32:22 34:2,2,4 34:10,25 35:8 37:3 40:2,4,6 41:7 42:8 46:5 46:18,19 47:3 47:9,14,20 49:4 50:12 51:4,12,18,23 51:24 52:1,9 52:12,20,22 58:15 63:3 66:8 72:4 74:2 77:16 84:7 88:9 90:7</p> <p>questioning 26:18</p> <p>questions 6:21 18:3,3,5,13,25</p>
---	---	---	--

<p>19:7,14,17,18 21:2,23 24:13 26:12,16 27:5 30:6 32:10 36:7,9 41:11 41:13 42:6,7 51:7,9,11,19,20 52:3,8,11,21 55:6 60:17,22 61:7,11,14,15 61:19 62:7,8 62:10,11,18,22 63:15 68:5 69:15 72:16,20 73:1,5,8,9 74:10,16,23 79:21 84:21,23 85:2,9,10,13,18 85:23,24 86:3 88:23 89:1,3,5 89:11,12,15,20 89:21 90:2,3 92:3 quick 63:3 quicker 22:3 quite 51:6</p>	<p>raise 56:12 64:5 raised 81:20,21 86:12,13 rather 31:12 93:8 ratio 77:7 ray 10:22 13:2 13:15 14:23 31:18 32:5 96:2 rc.com 3:7 reach 14:9 54:24 reached 49:21 reaching 54:21 54:23 reader 39:16 39:17 really 28:25 38:10 44:22 54:2 reason 38:10 41:14 47:20 reasons 91:7,20 recalled 6:15 receipt 10:1 receive 13:5 24:10 78:8 record 4:12 6:2 26:15 36:15,25 40:21 73:21,23 86:12 92:5,13 92:16 93:2,19</p>	<p>recreation 58:19 reference 80:13 referencing 10:17 58:21 59:18 66:6 67:12 regard 33:12 61:21 regarding 17:18 42:6,8 47:9,14,23 49:11 50:7 52:12 regards 22:13 23:15 24:23 related 16:9 47:11 80:6 relates 34:7 relayed 58:25 59:14 relevance 51:1 relevant 44:23 46:20 rely 36:25 40:20 47:4 remain 11:18 11:25 21:16 remainder 25:21 reminder 93:1 remote 1:14 95:6</p>	<p>removals 11:22 removed 25:18 29:5 repeat 55:17 replacement 71:14 reply 93:21 report 21:11 31:20 reporter 73:20 73:21 represent 10:24 44:9 62:16 69:17 91:3 representing 80:3 request 22:20 66:6 82:15 88:14 requested 23:10 47:16 require 39:3 67:14,15 required 16:8 32:6 60:10 69:3 93:8 requirement 24:2 71:19 requirements 27:2 research 72:1 90:13</p>
r			
<p>r 1:18 2:1 3:1 6:9,9,10,12,12 56:14,14 64:6 radiation 39:19 41:19</p>			

researched 52:22	41:22 50:15,17 52:13 53:22	30:25 35:14 36:16 49:13	route 11:14,17 12:1
researching 12:24	59:4	56:9,12 64:5 76:9 77:9	rude 41:4
residents 21:2	reviewed 11:4	81:20,21 86:12	run 27:11,25
respond 22:17 90:18	reviewing 11:9 35:6	86:13 92:18	runoff 15:13,22 27:18 28:3,5 29:21 30:3
responded 49:6 49:13,16	revise 22:23	river 51:16	runs 31:23
responders 67:3	revised 21:14	road 12:8 14:22 15:1 16:4 17:15 22:11 23:3,5 23:11 24:5,8 27:18 33:18,19 33:23 50:8 66:21,21 68:4 68:10 69:8,20 69:21 70:6,23 70:25 71:4,5 71:23 72:2,6 90:24	s
response 24:23 26:16,21 31:3 31:20 36:23 40:22 42:7 66:19 67:12 85:6 89:8	rf 16:20 33:7 33:13 39:8,17 67:14 71:3,17	roads 12:18 44:21	s 2:1 3:1 6:13 6:13 25:6,20 64:7 82:1 86:15,15
responses 6:4,7 6:23 8:10 26:13,19 35:8 36:21 49:8 52:2,4	rhetorical 29:18	roadway 29:23	safety 13:11,14 13:19,22,24 67:7,8
restriction 31:23	richard 3:12 9:1,4 19:22,24 20:2,6,14 21:20,25 22:3 22:6 23:13,15 24:12 25:22 27:6,8,24 28:18 29:11,17 30:5,22,25 31:3 32:3,7 55:13,15,21,24 56:2,6,8 57:3 65:18,19,20 74:15,16 80:23 80:25 83:18,20 85:18 87:21,22 89:20,21 96:8 96:11	robert 95:20	san 66:12
restrictions 12:25 13:5,8		robinson 3:3	saratoga 53:10
resubmit 25:2		robust 22:8	saw 75:2,6
result 28:2		rock 91:16	saying 39:17,17 39:23 44:13 77:3
results 42:19		roman 5:21 6:24 56:21 64:16 82:11 86:23	says 25:23
return 37:16 73:11		rough 12:4	scan 53:13
review 9:13,22 13:6 21:3 24:15 26:14	right 9:12 10:2 11:9 21:25 23:6 24:21,25	roughly 42:25 71:18	scenic 84:14
			school 14:11,17
			scientific 78:6
			scope 26:10 34:6,25 35:2 35:11 40:3 41:7,22 90:17
			scott 2:13

se 15:3 sean 30:17 47:24 96:4 season 52:13 52:16 second 42:8 52:3 section 6:24 9:17 92:16 sectors 42:24 sediment 16:13 22:10 28:20 sedimentation 21:5,15 22:9 23:17 see 28:21 31:19 38:18 39:18 46:2,4 51:6,10 51:21 53:5,17 54:24 71:3 73:15 84:13 seeing 53:2,8 seems 71:22 seen 90:22 selected 16:10 33:13 36:13 37:8,18 selectman 9:6 32:14 57:23 61:25 63:18 65:22 74:18 81:1 83:21 85:20 87:23 89:23	selectman's 92:21 sent 33:10,11 sentence 29:19 separate 43:1 september 31:24 served 79:18 service 34:23 75:20 77:9 78:5 90:24 serving 44:5 session 4:4,23 35:3 set 24:10 43:5,8 43:9,21 45:16 45:17 sets 41:10 settings 45:7,9 seven 6:25 26:19 45:12 52:5 several 24:13 28:16 42:6 51:13 severe 50:14 shallower 15:25 sheet 33:10 shekhtman 58:2 62:14,16 shift 25:19 shoot 22:10	shores 3:10 6:5 9:2 19:21 55:9 57:18 58:11 62:3,13 74:14 80:1 81:8,10 83:19 85:17 89:19 short 36:4 52:24 show 45:4,13 46:16 47:1 55:3 showed 43:11 showing 25:3 27:10 44:16 46:5,14 69:6 shown 27:12 33:17 50:18 shows 24:16 45:1,2 46:22 68:14 sight 67:25 75:14,18,23 signal 33:23 43:15,22,23,24 44:5,19 77:6 78:8 signature 95:19 silt 15:17,19 16:7 22:21 similar 70:24 simoos 3:23 6:7 10:6,7,9 42:3,5 42:20 43:3,10	43:23 44:10,24 45:6,24 46:13 46:19,21,25 47:9,14 48:5 48:12,18,24 49:10,18,23 50:3,7,12,19 51:4,8,12,22,25 52:9,12,20 53:1 54:5,22 55:6,7 58:6,7 63:14,15 65:25 66:1 77:15,16 78:25 79:4,10 79:20 81:5,6 83:25 84:1 86:2,3,5,8,11 86:13,22 87:2 87:4,6,10,13,16 87:18 88:7,14 89:14,18 90:5 90:9,13,20 96:10,20 97:2 simply 53:19 69:6 simulate 47:18 simulated 47:15 simulations 47:18 sir 55:15 56:8 site 10:19 11:3 14:16,25 21:11 42:23 47:25
---	--	--	--

<p>48:4,8,23 49:17 50:22,25 52:17 54:16 58:23 68:5,7 75:9 sites 44:2 48:10 66:21 siting 1:3 2:21 4:7,16,21 20:2 20:16 25:3 26:24 40:7 46:25 59:14 78:23 82:14 92:6 93:3 95:7 sitting 24:21 situation 62:24 75:25 six 6:25 26:19 52:5 sizing 27:22 skip 19:11 slash 20:19 sleeping 39:24 slope 26:4 soften 28:12 software 67:22 77:24 79:9,11 79:13,14,15,19 soil 16:12 22:20 solution 75:24 76:1,4 somebody 41:2 sorry 9:18 20:6 21:17 30:22</p>	<p>40:10,24,25 41:3,6 51:16 62:19 76:8,8 sort 29:18 sounds 92:18 space 21:6 speak 42:6 91:7 91:10,19,21,25 speaking 39:11 41:2 62:15 71:18 species 30:10 30:12 31:9 specific 46:12 53:23 specifically 36:13 49:11 76:3 specifics 37:8 spectrum 37:8 44:1 75:17 77:24 78:7 speeds 45:18 spoke 91:9,15 spots 36:13 spring 52:17 staff 2:16,18 4:20 stage 29:8 staked 25:16 stand 36:18 55:2 standard 44:7 72:9</p>	<p>standards 23:17 40:19 41:10 stands 37:1 start 16:4 20:14 54:9 starting 10:14 40:5 58:12 66:4 84:5 88:7 state 1:2 12:13 86:11 stated 6:3 24:1 24:24 31:7 32:23 37:18 39:6 50:13 statement 25:24 30:15 40:9 statements 93:14 states 30:7 40:7 53:2 74:4 79:19 stating 51:19 78:25 statistical 42:14 status 10:18 18:4 58:22 66:7 82:16 statutes 5:1 stay 52:11 steel 13:18</p>	<p>steep 24:4 steeper 15:19 step 91:21 steve 3:17 9:8 9:10 32:16,19 32:19 33:16 35:19 57:25 62:5,9 63:25 64:15,22 80:20 80:21 83:22,24 85:22,23 87:24 87:25 90:1,2 96:8,16 stone 25:18 storm 27:19 50:15,17 51:25 storms 50:14 50:15,20 51:13 51:15 stormwater 15:13,16,22,22 16:17 stream 15:8 street 3:4 strength 43:15 43:22,24,24 44:4,19 structural 17:19 67:16 72:5 structurally 13:13,17 70:11 72:7</p>
---	--	--	---

<p>structure 69:19 structures 70:19 struggled 36:10 study 30:14 67:15 stuff 84:13 subject 92:14 submission 21:13 93:7 submissions 30:18 submit 22:8 30:13 46:25 submitted 10:20 11:3 21:15 22:7 26:11 28:21 30:18 34:8 46:15 51:7,13 54:18 82:13 substantiates 30:14 sufficient 43:16 suggest 34:14 suggests 75:18 75:20 summary 68:7 summer 18:11 27:15 supplemental 36:5 support 2:24 4:22 27:20</p>	<p>67:17 70:11 72:7,10 supports 70:13 sure 16:24 17:17 31:2 71:5 76:12 surety 26:6 surprised 54:6 surrounding 49:1 survey 49:24 67:20 69:1 71:3,17 77:17 77:21,23 surveyed 49:24 surveyor 25:16 sustained 34:13 47:10 49:9 swale 28:9 swales 16:1 27:12,17,21 29:9 sworn 6:16 56:15 64:8 82:2 86:16 syme 4:18 19:4 19:10 system 16:22 28:1,9,22 29:13,21,22 68:14 systems 67:20 70:14 75:10</p>	<p>t t 6:9,10,12,14 6:14 64:7 take 5:13 22:15 73:10 76:23 77:24 90:11 taken 11:20 44:21 63:6 95:5 takes 92:23 talked 38:22 72:5 talking 14:15 17:3 50:25 51:2 75:1,13 team 33:7 technologies 3:14 62:4 63:21 89:25 technology 16:21 45:17 66:7 67:2,5 68:1 74:2,3 telecom 38:15 telecommuni... 1:10 5:7 95:13 teleconference 1:15 95:6 telephones 4:10 tell 30:16 34:21 81:19 ten 9:19 27:19 28:19,25 30:19</p>	<p>30:20,24 50:15 73:11 tennis 15:7 tentative 20:18 term 29:6 terms 29:8 terrain 54:16 54:20,22 70:3 71:9 75:21 terrestrial 39:2 territories 66:10 test 46:6,12,14 47:1,16,21 67:23 77:17 79:4,10 testified 6:16 56:17 64:10 80:3,5 82:4 86:18 testimony 8:10 21:22 36:20 40:20 48:15 52:22 57:15 65:11 80:6,13 81:8 82:18 83:11 87:15 tests 42:12 46:9 46:13,21 thank 4:10 8:23 9:5,11 10:4,5 17:21,22 18:2 18:5,6,13,15,20 19:1,2,7,8,15</p>
---	--	---	---

19:16,18 20:1 20:2,12,15,15 22:5,17 24:12 26:17 27:6,7 27:24 29:17 30:5,25 32:7,9 32:11 34:20 35:16,17,22,23 36:2,2 37:2 41:25 42:1,5 47:7 51:3,5 55:7 56:11,19 57:2,22,23 58:8,14 60:12 60:13,14,17,18 60:21,23 61:1 61:2,6,7,8,12 61:15,19,23 62:11,21,25 63:10,13,16 64:4,12,13,22 65:17,21 66:2 66:5 72:11,12 72:13,16,19,21 72:23,24 73:2 73:6,9,15,16,22 74:1,9,11,12,17 74:22 77:11,12 77:13 79:20,22 79:23,24 80:10 80:14,15,19,22 81:4,10,17,18 81:22 82:6,7 83:13 84:2,6	84:18,21,22,23 85:2,10,14,15 85:19,25 86:4 86:10,14,20,21 87:3 88:2,4,8 88:19,20,23,24 88:25 89:5,6 89:12,16,17,22 90:4,19 92:1,2 92:4,4,19,20,22 92:24,25,25 94:2 thereto 31:10 thing 92:18 things 9:13 think 26:14 36:17 38:18 40:20 49:7 71:20 thinking 78:19 thoroughly 77:25 thought 43:24 thousand 50:3 three 13:23 27:14 49:5 67:13 68:4,8 71:19 74:7 76:4 79:6 tick 39:18 time 7:12 9:13 9:21 12:8 18:20 19:25 21:22 22:16	29:2 31:22 52:18 56:25 57:9 63:4 64:19 82:23 83:6 84:15 87:1,10 90:12 times 28:16 45:10,22 49:5 tiny 45:2,3 title 4:25 11:10 13:3,4,6 today 23:12 43:10 44:12 49:10,13 57:15 63:19 65:11 83:11 87:15 together 17:3 told 44:10 49:10,13 91:3 tolerating 32:10 tone 59:22 84:10 88:12 tool 42:11 53:14 top 59:23 69:16 69:25 70:16 topic 11:12 16:20 tornadoes 50:20 totals 58:19 touches 16:3	touching 75:19 towards 34:22 68:17 tower 12:19 13:12,17 14:6 17:14,16,19 20:19,23 30:8 33:20 36:12 37:10,12,13 38:6,20 39:11 43:4 48:6 49:2 50:4,6,11 53:2 53:5,8,15 59:6 59:19,21,25 67:1,16 69:16 69:21,23 70:4 70:6,9,10 71:8 71:12,12,14,20 78:1 84:8,9 88:9,11,16 90:25 91:4,5,5 91:11,17 towers 1:6 3:2 5:3,19 10:23 10:24 13:16 14:24 31:18 32:5 38:21 39:1,11 61:20 74:24 75:12 91:23 95:8 town 5:11 13:21 14:25 22:10 29:23 32:13 36:10
---	--	--	--

<p>63:18 91:2,2 93:24 town's 14:9 92:13 towns 91:13 traffic 43:1 trail 15:3 transcript 5:10 10:17 13:10 14:7,20 15:12 58:21 59:19 93:23 transcription 95:5 transfer 92:15 transferred 92:21 transmission 75:14 transverse 31:6 traversing 12:15 tree 11:22,25 31:23 treeline 20:20 20:21 84:11 88:13 trees 50:21 treetops 60:3,4 trespass 25:6 tricolored 30:11 trileaf 30:17 47:24 50:1</p>	<p>true 7:23 43:12 57:10 65:7 83:7 87:11 trumbull 3:4 try 20:13 52:25 trying 34:17 50:24 tuesday 1:15 4:5 turkeys 31:5 turn 28:1,4,11 68:3 turning 23:22 66:19 tweaking 23:7 twelve 24:20 39:1 66:13 two 6:1,5,24 9:3 11:1 13:21 15:2 19:22 26:10 34:7 38:1 43:11 45:18 50:20 51:9 59:22 66:11,15,20 68:5 74:5,15 74:23,24 75:2 75:4 80:2,4,7 81:10 83:19 84:10 85:17 88:12 89:19 two's 21:1 type 20:20,21 27:2 66:14</p>	<p>typical 28:20 typically 22:25</p> <hr/> <p style="text-align: center;">u</p> <hr/> <p>u.s. 48:1,9 66:9 66:9 uh 41:9 76:19 ultimate 79:18 unable 32:15 under 6:16,24 39:14 56:17,21 64:10,16 71:21 82:4 86:18,23 91:16 underground 15:9 understand 13:7 29:11 37:22 38:2,14 38:24 50:24 67:14 69:13 71:17 90:25 understanding 69:19 70:5 unequivocal 71:15 unequivocally 71:15 unfortunately 9:21 32:14 55:15 61:25 63:19 uniform 5:1</p>	<p>unintelligible 20:5 united 74:4 unserved 66:25 68:18 71:10,25 75:7 78:17 79:17 updated 25:13 updates 11:13 11:21 upland 24:8 upstream 28:10 urban 37:24 usa 21:9 use 17:2,9 42:11 45:11 68:21 69:7 74:3 used 15:17 17:4 27:19 66:15 67:9 68:24 useless 29:2 users 37:16 using 17:3 25:12 72:3 usually 37:24 utility 11:14,17 utilized 16:22 17:5 utilizing 33:3 33:20,25</p>
--	--	--	---

v	verified 28:16	50:23 51:3,5	89:2,4,6,10,12
v 6:9,10,11	verify 5:20 6:20	51:20,24 52:1	89:15,17,22
64:7	67:16	52:10,24 55:7	90:4,8,17 92:4
vale 66:20	verizon 1:7 5:4	55:19,22,24	92:10,11,17,19
70:23,24 71:4	5:20 32:24	56:1,3,7,9,11	92:20,24,25
71:23 72:6	33:7,13 34:8	56:20 57:2,6	95:16 96:13,18
valley 51:15	35:4 43:9 46:2	57:10,14,17,23	96:23 97:4
various 39:7	61:20 71:13	58:2,4,6,8,14	vicinity 38:17
42:15	95:9	60:14,18,21,23	39:7 75:12
vegetation	verizon's 37:12	61:2,8,12,15,18	view 88:16
75:22	versus 12:5,10	61:23 62:7,11	views 20:4
vehicle 43:17	36:14 46:14	62:18,21,25	93:13
45:19	47:1 54:25	63:13,16 64:2	viewshed 53:13
vendor 16:23	vertical 76:5	64:4,13,22	53:18,20,22
17:11 32:24	viable 71:6	65:2,7,10,14,17	54:3
33:1,6	vice 1:19 2:3	65:21,25 66:2	virtually 12:22
verbal 20:18	4:3,6 5:24 8:21	66:5 72:13,17	23:5
verbatim 5:10	8:23 9:5,11,21	72:19,21,24	visibility 53:24
95:5	9:25 10:5,8,10	73:3,6,9,20,22	53:25
vergati 7:2,3,14	10:16 17:22	74:1,10,12,17	visible 29:5
7:15 8:1,2,13	18:6,10,15,21	77:13 79:22,25	53:16
8:14 10:22,22	19:2,8,15 20:1	80:10,15,17,19	visual 53:1,13
12:23 13:2,2	20:7,10 21:19	80:22 81:1,4,7	voice 20:5
13:15,15 14:23	21:21 22:2,5	81:17,18 82:7	volume 27:22
14:23 16:24	22:17 23:14	82:15,18,21,25	volunteer
17:8 20:18	26:8,17 27:7	83:3,7,10,13,18	13:20
31:18,18 32:5	32:11 34:1,11	83:21,25 84:2	vote 63:6
32:5 58:25	35:6,11,15,17	84:6,19,22,24	w
59:3 60:7 96:2	35:23 36:17	85:1,3,8,10,13	w 6:11,14 64:6
verification	37:2,5 40:1,15	85:15,19,25	walked 14:25
6:19 64:14	40:22 41:1,5,7	86:4,10,21	wall 24:20
82:8	41:10,16,21,24	87:3,7,11,14,17	walls 25:18
	42:1 46:17	87:21,23 88:2	
	47:7,10 49:3,9	88:4,8,20,24,25	

<p>want 18:2 20:2 32:21 35:12 47:18 76:10,10 76:11 78:16 84:13 91:6</p> <p>wanted 35:19</p> <p>wanting 91:5 91:21</p> <p>warm 31:13,21 31:22 32:1</p> <p>watching 91:23</p> <p>water 16:2 23:20 27:22 28:8 53:6</p> <p>wave 18:12</p> <p>way 30:6 41:11 43:1 44:20,22 50:21 52:7 70:18</p> <p>ways 43:6</p> <p>we've 13:3 42:16 46:7 48:22,22 72:2 91:1</p> <p>weather 31:13 31:21,22 32:1 50:24</p> <p>website 4:12 9:14,17,22</p> <p>weekend 90:23</p> <p>weight 67:19</p> <p>weinpahl 6:25 7:1,12,13,24,25 8:11,12 11:15</p>	<p>11:15,24,24 12:6,6,12,12 15:15,15,24,24 16:14,14,18,18 22:18,18 23:25 23:25 25:8,8 27:16,16 28:6 28:6 29:3,3,16 30:1,1 50:16 50:16 96:3</p> <p>welcome 63:11</p> <p>went 9:17</p> <p>wetland 14:8 14:13,21 15:4 15:11</p> <p>wetlands 52:12 52:13</p> <p>wewaka 54:10 54:11,19,20 71:7 72:6</p> <p>wi 66:16</p> <p>wide 68:19</p> <p>wildlife 31:8,11 31:16 48:2,12</p> <p>williams 2:13 4:18 18:8,17 18:18,19 60:20 60:24,25 61:1 72:22,23 84:24 84:25 89:2,3</p> <p>willing 25:2 26:6 30:13 35:13</p>	<p>winds 70:19,20</p> <p>wireless 1:7 5:4 5:20 74:4 95:9</p> <p>wise 13:18</p> <p>wish 20:17</p> <p>witness 7:1,3,5 7:7,9,13,15,17 7:19,21,25 8:2 8:4,6,8,12,14 8:16,18,20 10:22 11:15,24 12:6,12 13:2 13:15 14:2,23 15:15,24 16:14 16:18,24 17:8 22:18 23:25 25:8 27:16 28:6 29:3,16 30:1,17 31:18 32:5 33:9 37:11 38:3 39:9 42:10,23 43:7,13,25 44:17 45:5,7 46:7,23 47:24 48:8,17,22 49:15,20 50:1 50:5,9,16 52:14 53:10 54:15 55:1,10 55:20 56:5,15 57:1,5,9,12,16 58:3,18,25 59:8,11,24</p>	<p>60:13 62:15,19 62:23 63:7,11 63:22 64:20,21 64:25 65:1,5,6 65:9,12,13 66:11,16,23 67:4,8,18 68:2 68:10,11,12,13 69:4,11,12,18 70:1,2,12,13,18 71:1,8,21 72:8 72:12 74:5 75:5 76:1,8,10 76:13,20 77:3 77:10,12,19,20 77:22 79:3,5 79:12,24 81:13 82:2,13,17,20 82:24 83:2,6,9 83:12 84:12 86:6,16 87:2,6 87:10,13,16 88:14 90:20</p> <p>witnesses 6:15 64:8 96:2,11 96:15,21 97:2</p> <p>wondering 52:16</p> <p>work 16:21 17:6,12 25:21 27:3 28:9 33:12 35:5 42:20 68:1,9 79:16</p>
--	--	---	---

works 13:23 write 74:25 written 93:13 wrong 75:3
y
y 6:9 82:1 yeah 14:20 29:16 36:25 41:1 45:5 46:18 59:11 68:12,13 70:1 70:2,13 72:8 77:22 82:17 year 27:19 31:22 50:15 52:17 years 9:19 21:3 78:13 90:21 young 78:14
z
zealand 66:8 zero 28:3,5 29:21 30:2 zone 33:19 68:16 71:23 72:3 zoom 1:14